

Exhibit 154

UNITED STATES DISTRICT COURT
FOR THE EASTERN DISTRICT OF NORTH CAROLINA

CAMP LEJEUNE WATER)
LITIGATION,)
)
Plaintiff,) Civil Action No.
) 7:23-cv-00897
Vs.)
)
UNITED STATES OF AMERICA,)
)
Defendant.

VIDEO DEPOSITION OF: DAVID A. SAVITZ, PH.D.

BEFORE: Angella D. Clukey, Notary Public, at the
United States Attorney's Office, John Joseph Moakley
United States Federal Courthouse, 1 Courthouse Way,
Boston, Massachusetts, on Friday, May 16, 2025,
beginning at 9:04 a.m.

1 APPEARANCES:

2
3 For The Plaintiffs:

4 Chad McGowan, Esq.

5 Robin Greenwald, Esq.

6 McGowan, Hood, Felder & Phillips, LLC

7 1539 Health Care Drive

8 Rock Hill, SC 29732

9 cmcgowan@mcgowanhood.com

10 For the Defendant:

11 Adam Bain, Esq.

12 Jennifer E. Adams, Esq.

13 U.S. Department of Justice

14 P.O. Box 340

15 Ben Franklin Station

16 Washington, DC 20044

17 202-616-4209

18 adam.bain@usdoj.gov

19 jennifer.e.adams@usdoj.gov

20 Also Present:

21 Alex Jaundro, Videographer
22
23
24
25

DEPONENT: DAVID A. SAVITZ, PH.D.

EXAMINATION PAGE

By Mr. Bain: 6

By Mr. McGowan: 175

* * * * *

EXHIBIT INDEX

No.	Description	Marked
1	Deposition Notice and Subpoena	8
2	Rebuttal report on Methodological Considerations and Epidemiological Studies Evaluating Random Error and Statistical Significance Testing	9
3	Camp Lejeune Bladder Cancer Expert Report of Benjamin Patten dated December 9, 2024	24
4	Excerpts from Epidemiology and the Law	44
5	Cancer Incidence Among Marines and Navy Personnel and Civilian Workers Exposed to Industrial Solvents in Drinking Water at US Marine Corps Base Camp Lejeune: A Cohort Study	58
6	Excerpts of the ATSDR Assessment of the Evidence for the Drinking Water Contaminants at Camp Lejeune and Specific Cancers and Other Diseases dated January 13th, 2017	63
7	Dr. Steven B. Bird General Causation Expert Report	66
8	General Causation Expert Report of Steven B. Bird, MD, Parkinson's Disease	74
9	Mortality Study of Civilian Employees Exposed to Contaminated Drinking Water at US Marine Corps Base Camp Lejeune: A Retrospective Cohort Study	77

EXHIBIT INDEX CONTINUED

10	Excerpts of General Causation Expert Report of Steven B. Bird, Bladder Cancer	85
11	General Causation Expert of Steven B. Bird, M.D., Kidney Cancer	88
12	Exposure Response Analysis	90
13	General Causation Expert Report of Howard Hu, MD	98
14	General Causation Expert Report of Timothy M. Mallon	103
15	General Causation Expert Report of Timothy M. Mallon on Leukemia	111
16	General Causation Report of Lukasz Gondek, Leukemia	115
17	General Causation Expert Report of Dean W. Felsher, Leukemia Non-Hodgkin's Lymphoma	117
18	Excerpt of the Evaluation of Cancer Incidence Among Marines and Navy Personnel and Civilian Workers Exposed to Contaminated Drinking Water at USMC Base Camp Lejeune: A Cohort Study	122
19	Evaluation of Mortality Among Marines/Navy Personnel and Civilian Workers Exposed to Contaminated Drinking Water at USMC Base Camp Lejeune: A Cohort Study	145
20	Supplemental Materials from the 2024 ATSDR Mortality Study	153

1 (This deposition was taken before Angella D.
2 Clukey, Notary Public, at United States Attorney's
3 Office, John Joseph Moakley United States Federal
4 Courthouse, 1 Courthouse Way, Boston, Massachusetts,
5 on Friday, May 16, 2025, beginning at 9:04 a.m.)

6 * * * * *

7 VIDEOGRAPHER: We are now going on the record.
8 My name is Alex Jandrow and I'm a videographer for
9 Golkow a Veritext Division.

10 Today's date is May 16, 2025, and the time on the
11 monitor is 9:04 a.m.

12 This deposition is being held at 1 Courthouse
13 Way, Boston, Massachusetts, in the matter of
14 Camp Lejeune Water Litigation versus United States of
15 America.

16 This is for the United States District Court for
17 the Eastern District of North Carolina.

18 The deponent today is Dr. David Savitz.

19 Counsel will introduce themselves for the record
20 and the witness will be sworn.

21 The court reporter today is Angella Clukey.

22 MR. BAIN: Adam Bain for the United States.

23 MS. ADAMS: Jennifer Adams for the United States.

24 MR. McGOWAN: Chad McGowan for the plaintiffs.

25 MS. GREENWALD: Robin Greenwald for the

1 plaintiffs.

2 (The deponent was administered the oath by the
3 Videographer.)

4 DAVID A. SAVITZ, PH.D., called, after having been duly
5 sworn, on his oath deposes and says as follows:

6 * * * * *

7 EXAMINATION

8 BY MR. BAIN:

9 Q Good morning. Could you please state your full name
10 for the record?

11 A My name is David Allen Savitz.

12 Q And what is your current address?

13 A I live at 127 -- I'm sorry, I moved recently --
14 252 Whiteface Road in North Sandwich, New Hampshire.

15 Q Dr. Savitz, my name is Adam Bain, I represent the
16 United States.

17 You understand this is a court proceeding even
18 though we are not in a courtroom?

19 A Yes, I do.

20 Q And you're under -- you understand you're under oath
21 and obligated to tell the truth?

22 A Yes, I do.

23 Q And you have been deposed previously in this case; is
24 that correct?

25 A That's correct.

1 Q You were a fact witness in that instance.

2 Do you recall that?

3 A Yes, I do.

4 Q And today you're here retained as an expert witness
5 for the plaintiffs; is that right?

6 A That's correct.

7 Q As you know, and you've been in depositions before,
8 that a court reporter is taking down everything that
9 we say today. So it's important to answer your
10 questions verbally with a yes or a no rather than
11 shaking your head.

12 Do you understand that?

13 A Yes, I understand.

14 Q We should also try to avoid interrupting each other
15 so that the court reporter can get down a clean
16 transcript.

17 Do you understand that?

18 A Yes, I do.

19 Q Once the deposition is complete you will be given an
20 opportunity to read the transcript of your testimony
21 and make any corrections and you would then be asked
22 to sign it.

23 Do you understand that?

24 A Yes, I do.

25 Q If you don't understand a question, please let me

1 know and I will try to clarify the question.

2 If you don't ask for clarification, I will assume
3 that you understood the question; is that fair?

4 A That's reasonable, yes.

5 Q Is there any reason today why you would be unable to
6 give your most truthful and accurate testimony?

7 A No, there's not.

8 Q You may ask for a break at any time. I only ask that
9 you wait until you finish answering my questions
10 before you ask for a break; is that fair?

11 A Yes.

12 Q Dr. Savitz, I'll show you what has been marked as
13 Exhibit 1.

14 (Deposition Exhibit No. 1, Deposition Notice and
15 Subpoena, was marked for identification.)

16 BY MR. BAIN:

17 Q Do you recognize this as the subpoena and notice of
18 your deposition here today?

19 A Yes, I do.

20 Q Have you reviewed the request for production of
21 documents as part of this exhibit?

22 A Yes, I have.

23 Q Do you have any responsive materials to produce?

24 A I did not bring the materials that I cited in my
25 report. All in the open literature I have -- again,

1 I don't have any other materials that were used other
2 than what's listed there.

3 Q So if you look at the first page of Attachment A, do
4 you recall having any communications with any
5 individuals listed on the first page?

6 A The only -- I've had no contact with Morris Maslia at
7 any time as best I can recall.

8 In the other list in Item 2, I had worked some
9 years ago on a National Academies Committee, which
10 Susan Martel was the project director, so I had quite
11 a few communications with her.

12 The other people that I've ever even had any
13 contact with, and again it would have been through
14 their comments to the committee, would have been
15 Frank Bove and Jerry Ensminger.

16 I don't recall any of the other names of having
17 any contact.

18 Q So those communications you just referenced would
19 have been in connection with your work with the
20 National Academy of Sciences?

21 A That's correct. There's been no communication
22 actually with any of them since.

23 (Deposition Exhibit No. 2, Rebuttal Report on
24 Methodological Considerations and Epidemiological
25 Studies Evaluating Random Error and Statistical

1 Significance Testing, was marked for identification.)

2 BY MR. BAIN:

3 Q Dr. Savitz, I've shown you what has been marked as
4 Exhibit 2.

5 This is entitled Rebuttal Report on
6 Methodological Considerations and Epidemiological
7 Studies Evaluating Random Error and Statistical
8 Significance Testing prepared by David A. Savitz,
9 Ph.D. on March 17, 2025; is that correct?

10 A Yes, it is.

11 Q And is that your report in this case?

12 A Yes, it is.

13 Q Can you turn to Page 1? And if you look at the last
14 sentence on Page 1, it states, In this rebuttal
15 report, I have been asked to address two topics,
16 random error and statistical testing, and my opinions
17 in this case are limited to those -- these two
18 topics.

19 Is that correct?

20 A Yes, it is.

21 Q Prior to the preparation of this report, did you
22 review the reports of any of plaintiff's general
23 causation experts in this case?

24 A I did not.

25 Q Prior to the preparation of this report, did you

1 review the reports of any of the government's general
2 causation experts?

3 A I did not.

4 Q If you can turn to Page 2. You state in the first
5 sentence that, Epidemiological studies are often
6 focused on assessing causal relationships between
7 exposures and health outcomes; is that correct?

8 A Yes, that's correct.

9 Q And you further state that, The way this is done is
10 by collecting data to assess the statistical
11 association between exposures and health outcomes,
12 correct?

13 A That's right, yes.

14 Q So looking at statistical associations and
15 considering factors such as confounding, selection
16 bias, exposure or disease measurement error and
17 random error, you can see whether the association
18 supports an inference of causal effect between the
19 exposure and the health outcome, right?

20 A Again, I -- I would say that you can evaluate the
21 extent to which it supports that. I don't -- my
22 only -- it's really kind of a narrow point, but it --
23 there's not a verdict delivered, yes, no. It's
24 interpreting the association based on all those
25 factors that you indicated.

1 Q So the magnitude of the association is a factor in
2 making an inference of causal effect; is that true?

3 A It's one of the considerations, yes.

4 Q And another consideration is the potential for random
5 error in making the inference of causal effect,
6 right?

7 A Yes, that's correct.

8 Q And the other concepts potential for confounding
9 selection bias and exposure or disease measurement
10 error are also factors in making the inference of
11 causal effect?

12 A Yes. Again, the only thing I would sort of say
13 that -- that -- again, I don't know whether we're
14 talking just about a single study or the body of
15 research because the -- the principles stay the same,
16 but the application is somewhat different in trying
17 to judge a single study in isolation versus putting
18 an array of other relevant studies together.

19 Q And can you elaborate a little bit how the
20 considerations are different in looking at a single
21 study versus an array of studies?

22 A They're -- there are a number of factors that can be
23 addressed when you have a body of research. You can
24 of course look at the consistency of findings across
25 studies, but also there's this concept of

1 triangulation where -- this is just an example, but
2 let's say in a given study, you're not certain
3 whether a potential confounding factor has introduced
4 bias. But if you have other studies of the same
5 topic that have looked at it and put that issue to
6 rest, you may be more confident in assuming that the
7 study that couldn't address it, that it may not be so
8 important.

9 So it's the way that the research across studies
10 can to a degree inform judgments about the
11 methodology by looking at the array of results and
12 not just the single study in isolation.

13 Q In an epidemiological study the magnitude of the
14 association can be reflected in various ways; is that
15 correct?

16 A Yes, there are a number of statistical measures that
17 can be used. Generally either ratio measures, like
18 odds ratios or other forms of relative risk, or
19 sometimes difference measures where you subtract the
20 rate of disease in the -- unexposed from the rate of
21 disease among the exposed people.

22 Q So one of the ways you just mentioned was the odds
23 ratio, correct?

24 A That's correct, yes.

25 Q And another measure you mentioned was the relative

1 risk; is that right?

2 A Yes. I mean, that -- that sometimes is used -- it's
3 often used generically as any ratio measure or it can
4 be referring to when you actually calculate the risk
5 and look at the ratios. There are other related
6 terms, hazard ratio.

7 I think that they reflect different statistical
8 approaches, but they're all getting at the same
9 thing.

10 Q I want to ask about another measurement which is
11 called the standard incidence ratio or SIR.

12 My understanding is that compares the incidence
13 of disease in a group to the general population
14 controlling for demographic factors such as age, race
15 and sex; is that correct?

16 A That is -- yes, it can either -- you know,
17 standardized incidence ratio or standardized
18 mortality ratio. It's not conceptually different
19 than the others other than, as you said, the referent
20 group is not generally from within the study, the
21 referent group is an outside population, like the
22 United States population or the population of North
23 Carolina, or whatever the general population group
24 might be.

25 Q Okay. That's helpful. So the standard incidence

1 ratio looks at an incident of disease, correct?

2 A Yes. I mean, there are different ways -- in some
3 cases, it's an observed versus expected ratio.

4 So that in the population you're studying you
5 observe a certain number of cases or deaths and then
6 you calculate what you would have found -- how many
7 you would have found if that group experienced the
8 same rate as the general population.

9 And so that is a -- again, standardized for age
10 and calendar year, perhaps other factors, but it's
11 that comparison of the experience of a study
12 population to a referent population.

13 Q And the difference between the standard incidence
14 rate and the standard mortality rate is the incident
15 rate looks at the incidence of disease and the
16 mortality rate looks at the cause of death from that
17 disease; is that right?

18 A That's -- that's right, that's the way that's used.

19 Q Do your opinions regarding random error and
20 statistical significance apply to all those ways of
21 measuring an association, the odds ratio, the risk
22 ratio, the standard incidence ratio and the standard
23 mortality ratio?

24 A Certainly the -- the general principles would apply
25 to really any statistical measure. The other factors

1 may differ in terms of, you know, potential for
2 confounding and measurement error and so on. But
3 the -- the impact of random error, the efforts to
4 quantify the precision would apply regardless of
5 which measures used.

6 Q Okay. So it will apply to all those different types
7 of measures I mentioned?

8 A Yes, it would.

9 Q And the potential for random error in the results of
10 an epidemiological study can be reflected by the
11 confidence interval, correct?

12 A That's right. And again it's -- not to quibble over
13 the fine-tuning of the words, but maybe potential is
14 not a bad way to think of it. There's an inherent
15 statistical uncertainty. And that is a way to try to
16 quantify the magnitude of that uncertainty.

17 So it isn't that you declare it, you know, random
18 error is or is not present. It's assumed it's always
19 present, and this is an attempt to convey some idea
20 of the magnitude of that effect.

21 Q If you look at Page 2 of your report, the first
22 sentence in the last paragraph you state, We can
23 quantify the potential random error through
24 statistical calculations determining a range that is
25 likely contained -- to contain the true value

1 referred to as a confidence interval discussed in
2 more detail below. Correct?

3 A That's correct, yes.

4 Q And the confidence interval is often shown after the
5 magnitude of association in a review article or an
6 expert report and depicted by the acronym CI; is that
7 correct?

8 A That's right. The general way that would be
9 described or presented is the point estimate with
10 this interval -- confidence interval around it.

11 Q And the confidence interval is usually reflected by a
12 parenthetical after the point estimate that includes
13 a percentage and a range of numbers; is that right?

14 A Yes, that's -- that's right.

15 Q And the percentage given is typically 95 percent,
16 correct?

17 A That is the traditional and certainly most commonly
18 used basis for confidence intervals.

19 Q If you turn to Page 4 of your report, you have a
20 section D called Confidence Intervals, correct?

21 A That's correct, yes.

22 Q And about halfway into that paragraph you say, By
23 tradition confidence intervals are usually designed
24 to provide a range of possibilities such that
25 95 percent of such intervals would, paren, if truth

1 were known, close paren, contain the true value; is
2 that correct?

3 A That's correct, yes.

4 Q Is it fair to say that means that statistically
5 95 percent of the results will fall within the range
6 that is represented by the confidence interval?

7 A This is where the -- the -- the technical versus sort
8 of a, you know, intuitive approach, I'm not sure I
9 have it precisely correct, but it is designed to
10 reflect uncertainty. And there's a certain
11 arbitrariness in the way it does that. But it -- as
12 I said, I think that is the correct wording that
13 95 percent of such intervals would be found to
14 contain the true value which again technically is
15 slightly different than saying, we're 95 percent
16 certain that the true value lies within the interval.
17 I think we're getting into the -- the nuances of the
18 process.

19 And the -- I think maybe I didn't say it as
20 clearly as I could have. These are guidelines. They
21 shouldn't be taken too literally. They're based on
22 assumptions and shorthand ways of trying to convey
23 the sense of precision. And as you pin it down to
24 exactly the formal statistical -- sort of statistical
25 underpinnings it at least in my interpretation, is

1 almost taking it too literally or overinterpreting it
2 a bit to give it that much precision and credibility
3 in what it means.

4 Q I want to ask you some questions using the term
5 "relative risk," which is what you use in your
6 report. A relative risk of 1 in epidemiology is
7 called the null, right?

8 A That's correct, yes.

9 Q And when you have a relative risk or a point estimate
10 of 1, it means the results of the statistical
11 analysis show that there's no greater or lesser
12 effect in the exposed group in comparison to the
13 control group, right?

14 A It's saying that -- again, the point estimate is --
15 is -- if it's 1, you would say that it's indicating
16 null association or the absence of association. It's
17 a separate issue from addressing how certain are you
18 that it's 1, and that's where again the -- we're just
19 talking about precision now and random error but
20 obviously there will be some range of possibilities
21 around 1.

22 Q But that point estimate of 1 given the uncertainty
23 you just described, reflects no greater or lesser
24 effect in the exposed group versus the control group,
25 right?

1 A That is right. That's what the point estimate would
2 reflect.

3 Q If the relative risk or point estimate is above 1,
4 that means that there is a greater effect in the
5 exposed group than in the control group, right?

6 A Again the -- algebraically that means that the --
7 let's say the rate of disease or the risk of disease
8 is at least somewhat, you know, to an extent greater
9 among those with exposure than those without. That's
10 what the relative risk above 1 would mean.

11 Q If the relative risk is less than 1, it means that
12 there is a lesser effect in the exposed group than in
13 the control group, right?

14 A Again, I would say yes, I guess that -- again it's --
15 maybe I'm overly worried about exactly the terms.
16 It's saying that in this given study or -- or, you
17 know, source the calculation, the rate of disease is
18 lower among the exposed than the unexposed.

19 Sometimes we use "effect" to mean in a causal
20 sense. That's a different sort of interpretation
21 than just sort of the simple algebra what that means.

22 Q Thanks, that's helpful. And I was -- wanted to get
23 to that now.

24 That number alone doesn't give you all the
25 information you need to make the inference of whether

1 the exposure causes the effect or the exposure
2 prevents the effect, right?

3 A Right, or the same if you observe a 1, it doesn't
4 mean that you've exonerated the exposure and shown
5 it's not a causal factor. It depends on the other
6 considerations, the quality of the study, the
7 accuracy and so on.

8 Q So as you mention in your report, the other factors
9 that the epidemiologist needs to consider include the
10 potential for confounding selection bias, measurement
11 error and exposure and effect in random error,
12 correct?

13 A That's right. Again, it's a secondary issue. It's
14 just another form of exposure measurement error, but
15 you can get into more complex issues if the
16 confounder is not measured well, you may not have
17 adjusted for it effectively and so there are other
18 sort of twists and turns in there. But that's
19 basically -- there's an array of considerations that
20 bear on the validity of the study and the extent to
21 which it is informative regarding a potential causal
22 effect.

23 Q The confidence interval representing the 95 percent
24 statistical range of results helps the epidemiologist
25 assess random error; is that right?

1 A That's right. It's -- it's a -- it's a marker, is
2 the way I think of it, of the study's precision. I
3 think as I indicated in the report, we need some way
4 to say that whatever random error is and, you know,
5 philosophically or conceptually, bigger studies have
6 less of it than smaller studies.

7 And it's a -- as I said, it's -- it's a formal
8 way of trying to quantify a concept. And I think
9 it's -- by its familiarity, it's become a pretty
10 standard way of doing it, and it is a sort of an
11 indicator solely of the random error. It doesn't
12 address these other methodologic features.

13 Q So the wider the confidence interval is, the less
14 precision there is in the result, right?

15 A That's right. There's more uncertainty around
16 whatever that point estimate is. There's -- right,
17 the range of possibilities is wider.

18 Q So when you use the term "precision," you're
19 referring to uncertainty you have in the point
20 estimate; is that right?

21 A Based -- right, based solely on the statistical --
22 again, this concept of random error.

23 Q And I think you also mentioned that the -- the
24 magnitude of the association of the confidence
25 interval doesn't tell you about confounding selection

1 bias or measurement error and exposure effect, right?

2 A That's correct.

3 Q And to assess those factors you have to look at the
4 methodology of the study.

5 A That's right.

6 Q If any of those factors are present, then there is
7 less confidence that the magnitude of the
8 association, the risk ratio is showing a true
9 association between the exposure and effect; is that
10 correct?

11 A There's -- I would again put it more on a continuum
12 of the extent to which the study was susceptible to
13 confounding. That's a -- that's something that we
14 scrutinize and, you know, they provide data to help
15 inform that.

16 Again, we don't deliver a verdict and declare
17 it's free of it or it's a problem; it's a matter of
18 degree. And the same with all those other factors
19 including random error. And so it's -- but the
20 interpretation back to the sort of the concept, we
21 generate the statistical measure and we're -- we're
22 looking to see whether there's reason to believe it
23 is an accurate reflection of the causal effect or
24 lack thereof, whatever the measure is -- is the
25 statistical measure of association indicative of what

1 the causal effect is.

2 Q Is it good practice in epidemiology to include the
3 confidence interval when -- when reporting a risk
4 ratio or an odds ratio or a standard incidence rate
5 or standard mortality rate?

6 A It's -- it's generally done, and I think it's a
7 useful thing to do, yes.

8 Q Is that a good practice?

9 A Well, good in the sense that it's sort of consistent
10 with the conventions in the field, yes. And I think
11 it's also informative.

12 Q And what is the issue in failing to report the
13 confidence interval when referencing a risk ratio or
14 an odds ratio or standard incidence rate or standard
15 mortality rate?

16 A Again, the purpose of it is to give a sense of the
17 really the volume of data that the estimate is based
18 on. And so I don't know -- if you don't give me that
19 additional information, I don't know whether it's
20 coming from a study with five people in it or 5
21 million. And that -- the size of the study does
22 matter because it -- it affects the -- just again the
23 precision of the estimate in random error.

24 Q Okay.

25 (Deposition Exhibit No. 3, Camp Lejeune Bladder

1 Cancer Expert Report of Benjamin Patten dated
2 December 9, 2024, was marked for identification.)

3 BY MR. BAIN:

4 Q Dr. Savitz, I handed you what has been marked as
5 Exhibit 3. This is the Camp Lejeune Bladder Cancer
6 Expert Report of Benjamin Patten, dated December 9,
7 2024.

8 Do you see that?

9 A I see that, yes.

10 Q And I take it from your prior testimony that you've
11 not reviewed this report before; is that correct?

12 A That's right.

13 Q Take a look at Page 23. This is an excerpt, I should
14 say and so page 23 isn't the 23rd page of exhibit;
15 it's earlier in the exhibit. Take a look at the
16 bottom pages of the report.

17 A 23 you said?

18 Q Yes.

19 A Okay.

20 Q And if you look at the middle of the page, do you see
21 where the subheading is Mayo Bladder Cancers Northern
22 Italy?

23 A Yes.

24 Q And do you see halfway in that paragraph it states,
25 an elevated measure of association odds ratio 1.21

1 with ever exposed -- ever exposure to TC was
2 identified.

3 Do you see that?

4 A Yes.

5 Q Does that provide you sufficient evidence to infer
6 causation?

7 A Again, not having -- well, not having seen the rest
8 of the report, not having -- not being familiar with
9 the studies it's based on, it's hard to give any sort
10 of an overall assessment of how that isolated finding
11 bears on the question of a causal inference. It --
12 the sort of -- that has to be looked at in context
13 and with an array of information.

14 Q And part of that context is the confidence interval,
15 correct?

16 A Well, one of the features of the study that, if
17 I were trying to judge the contribution of the study
18 to the overall weight of evidence, and that's what
19 I'm assuming was -- was the goal of making --
20 whenever you're talking about a causal -- evaluating
21 whether a causal effect is present, that's going to
22 be based on some weighting of the evidence and there
23 would be a number of features of the study that would
24 need to be taken into account as well as, of course,
25 all the other studies.

1 So that's a long way of saying, yes, random error
2 in each study is of importance. All the other
3 methodologic features are as well.

4 Q And here where the odds ratio is reported without the
5 confidence interval, you have no way -- from looking
6 at this particular sentence -- of knowing how precise
7 that is or what the potential for random error is in
8 that particular result, right?

9 A I mean, as I've indicated generally, the -- unless
10 I know something either about the -- the numbers it's
11 based on or some quantification of precision, it's
12 hard for me to make inferences specifically. Again,
13 I'm narrowing this to saying something about the
14 potential impact of random error in the study.

15 Q And you can't tell that from what is given in this
16 particular sentence here, correct?

17 A As I've said, you know, again for addressing random
18 error in that study, yes, I would need more
19 information.

20 Q Turn to Page 26, just a few pages later.

21 At the bottom do you see a subheading for Camp
22 Lejeune?

23 A Yes, I do.

24 Q And here again, do you see where it says, No overall
25 association 1.07 with bladder cancer deaths was

1 identified in a 10-year lagged analysis of military
2 personnel stationed at Camp Lejeune compared to Camp
3 Pendleton with at least low exposure to benzene,
4 citing Bove 2014 A; however, elevated measures of
5 association with medium hazard -- with medium hazard
6 ratio 4.04 and high hazard ration, 2.26, were
7 identified.

8 Do you see that?

9 A I see where it says that, yes.

10 Q And again, with respect to the numbers of a hazard
11 ratio of 4.04 and 2.26, you're unable to tell without
12 the confidence interval how precise those point
13 estimates are; is that correct?

14 A Again, I -- obviously, I don't know if -- you know
15 if -- you know, it's an important issue. I don't
16 know how it all weighs in on the overall body of
17 research, but as I've indicated, that in order to
18 make any sort of a -- even a qualitative assessment
19 of the role of precision, one does need to know
20 something about the size of the study or some other
21 statistical measure.

22 Q Okay. And if you take a look at the appendix, which
23 is the tables at the back of the exhibit...

24 Are you at the appendix?

25 A Yes, I am.

1 Q And do you see the tables there have a measure of
2 association indicated a column, I think it's the
3 third column.

4 Do you see that?

5 A Yes, I do.

6 Q And, again, do you see that hazard ratio point
7 estimate numbers are given in that column for each of
8 the studies?

9 A Yes.

10 Q But there's not a confidence interval indicated along
11 with the point estimate, is there?

12 A Again, that -- that's correct and what the report
13 says, I -- I'm not speaking to the importance of it
14 or the -- the impact of that, but only to agree that
15 that is what is -- you know, the only -- the point
16 estimates are provided but not with confidence
17 intervals.

18 Q Would you agree that having a point estimate without
19 having other information is insufficient to make an
20 inference regarding causation?

21 A Well, again, it -- as I said, an inference regarding
22 causation is a weighting of evidence across studies
23 that ideally takes all of the different
24 considerations into account. And so one could say
25 any one isolated piece is not going to tell the whole

1 story. And so I agree with that as a general
2 principle. But as I said, in this case I'm just not
3 familiar with what the overall story is that's being
4 addressed and so it's hard to comment on -- it's like
5 having, you know, an isolated piece of a puzzle
6 without knowing what the puzzle looks like or what
7 the puzzle should look like at the end.

8 So that's a way of saying that -- that there's a
9 lot of items that would go into that assessment
10 including precision.

11 Q So you can't just have one piece of a puzzle in order
12 to make an inference of causation; you need to look
13 at all the different factors that you discussed, the
14 potential for random error, the potential for
15 confounding, looking at an array of studies; is that
16 right?

17 A That's right. Again, it needs to -- in my view, and
18 I think it's a pretty conventional view, it's
19 identifying and considering all of the relevant
20 studies, their methods, their results, the -- just
21 array of factors that bear on that judgment about
22 whether there's likely to be a causal effect present.

23 Q Okay. You can put that exhibit aside.

24 A Okay.

25 Q And I think you referred to this previously, but

1 confidence intervals generally will be wider with a
2 smaller sample than with larger sample sizes; is that
3 right?

4 A That's true, yes.

5 Q And that's, I believe, consistent with the statement
6 in your report on Page 2, bottom of the next-to-last
7 sentence.

8 Do you see where it says, The impact of random
9 errors decreased as the study size increases; is that
10 correct?

11 A Sorry, this is on Page 2 the last paragraph?

12 Q The last full paragraph.

13 A Okay. I'm sorry.

14 Q The last sentence.

15 A That's correct, yes.

16 Q Now much of your report is focused on the concept of
17 statistical significance, correct?

18 A That's correct.

19 Q And you state that statical tests have historically
20 been used to dichotomize results declaring that an
21 association is or is not present based on a
22 calculated probability of less than .05 or 0.05 or
23 greater, correct?

24 A That's correct, yes.

25 Q And that has been the historic test of statistical

1 significance, correct?

2 A That is the way that the -- right, the terminology is
3 the statistical significance has come to be defined.

4 Q It is also sometimes represented as a P value; is
5 that correct?

6 A Well, there's a little bit of a difference in that P
7 values, of course, can take on any value between 0
8 and 1, and there -- this is referring to the
9 calculation of a P value and then making a
10 dichotomous judgment based on what that P value is.

11 And so yes, it's a step that enables the -- the
12 determination of statistical significance, but it's
13 not automatic. You can calculate a P value and not
14 make a declaration or dichotomize the results.

15 Q So it's the dichotomization of results that you're
16 essentially taking issue with; is that right?

17 A That's correct. I mean, again, I'm talking here
18 about statistical significance, but I could probably
19 say more generally, there's no litmus test of, is
20 this a convincing positive study or not?

21 It's certainly not that and I don't think --
22 conceptually, I mean, it's -- you can't avoid
23 grappling with the details. And unfortunately
24 statistical significance testing has been used as a
25 way to not come to grips with all the other important

1 aspects of the study.

2 Q And when you talk about statistical significance in
3 your report are you talking about confidence
4 intervals, P values or both?

5 A Well, the -- the classic way of calculate -- or
6 determining whether a given association is
7 statistically significant is simply to do the
8 calculation, see what the P value is, and if it's
9 less than .05, declare it significant; if it's .05 or
10 greater, declare that it's not.

11 Confidence intervals -- 95 percent confidence
12 intervals can be -- and I -- again, maybe it's --
13 it's -- can be basically degraded into a statistical
14 test. So you can say -- it's a different
15 presentation, but it gets at exactly the same issue
16 where the dichotomy here is, does the interval
17 contain the null value or not? And that's identical
18 to simply saying it's statistically significant or
19 not.

20 The only benefit, I suppose, is that for those of
21 us who want to -- who find the confidence interval
22 useful in other ways, we -- we at least have the
23 confidence interval presented. They may not make
24 what I consider to be -- the authors may not make
25 what I would say is the best use of that information,

1 but I can do so independently.

2 Q So with respect to the confidence interval, if it
3 contains the null value, then is that the same as the
4 effect not being statistically significant?

5 A That's correct.

6 Q The P value still appear in epidemiological papers,
7 don't they?

8 A All of the variants we're talking about appear, but
9 certainly P values -- again, with or without
10 statistical tests, P values are encouraged rather
11 than statistical tests. They at least give more
12 information than a -- simply the dichotomy of
13 significant or not significant.

14 Q And those values still generally appear in
15 epidemiological papers that appear in epidemiological
16 journals today, right?

17 A I'm sorry, what --

18 Q P values for statistical significance?

19 A Well, there are two issues there.

20 Q Mm-hmm.

21 A There are those who continue to use statistical
22 significance testing as the sort of litmus test that
23 I've -- that has come to -- is not considered the
24 most informative approach, but that doesn't mean it's
25 not done.

1 In other words, you know, change happens slowly
2 and it evolves. And I've been doing this for a long
3 time. It's evolved quite a bit in the last 10 or
4 20 years. It's very different than it was in the
5 past.

6 It continues to be done and every variant thereof;
7 so there can be statistical significant tests, there
8 are those who -- again, I think unfortunately
9 calculate confidence intervals because maybe the
10 journal editor required them to and they still make
11 it into a statistical test.

12 And then I think increasingly there's momentum
13 towards the way I'm describing it as a useful marker
14 of precision without a declaration of, you know,
15 based on a -- on either the confidence interval
16 containing the null or the P being less than .05.

17 And, again, that I think is increasingly
18 recognized by statisticians and epidemiologists and
19 journal editors and so on.

20 Q What is your understanding of the history or
21 evolution of using statistical significance to
22 dichotomize results in the field of epidemiology?

23 A You know, again, I -- there's -- there's others who
24 know the detailed history of how this sort of
25 reasoning evolved.

1 It -- the -- again it's hard for me to -- again I
2 can't speak to it with authority as sort of
3 historical evolution, but it was borrowed from
4 experimental sciences. Originally I think it was
5 looking at crops and using different fertilizers on
6 different fields and so on. I think there's long
7 been a recognition that it is particularly
8 unsuitable, the formalities of it, when we don't --
9 we don't randomly allocate our exposure.

10 So if you're doing, let's say, a study with rats
11 in a laboratory, you can interpret the P value as
12 a -- as an estimate of how likely it is that despite
13 doing a perfect random allocation through random
14 error alone, all the healthy rats ended up getting
15 the drug and all the sick rats didn't, that's
16 theoretically possible.

17 And it -- it gives it a little bit more of a
18 literal interpretation, How likely is it that this
19 random allocation has gone awry. Well, in
20 epidemiologic study we don't do any random
21 allocation; we observe.

22 And so it -- whatever -- you know, it may be
23 problematic, even in laboratory studies, but it
24 really -- it's a growing recognition that we're just
25 pretending that exposure is randomly allocated. It's

1 not. And what that does is it makes random error
2 less of a dominant concern.

3 In the rat studies, everything else is tightly
4 controlled, so the only way they go wrong more or
5 less is through random error. Epidemiologic studies
6 have a lot of other factors, and so it's that
7 extrapolation from experiments and the rigid
8 interpretation where I think it's taken a while to
9 acknowledge that that's not the most appropriate way
10 to interpret epidemiologic data.

11 Q Is statistical significance still used by
12 epidemiologists today to dichotomize results?

13 A It is used by some. I think the numbers -- again, I
14 haven't done a formal survey. I think the -- the
15 numbers and the rigidity are declining fortunately
16 with time.

17 Q Would you agree that if an epidemiologist has a
18 result that is statistically significant, the
19 epidemiologist will almost always note that the
20 result is statistically significant?

21 A I wouldn't say that. I mean, that's again getting
22 into the almost always note.

23 The -- yeah, I don't have any basis for -- for
24 trying to quantify that. I'm just thinking of it as
25 I cited, there's now some of the leading journals

1 explicitly say don't do that, and it sort of is
2 almost as an editorial point. Present the results,
3 interpret them as you wish, but highlighting that
4 point is strongly discouraged.

5 And so whether people comply, whether they
6 enforce it, I have -- have no idea. But it's a -- I
7 think there's a -- a direction.

8 I think, again, there's practice and then there's
9 what -- what is recommended in the textbooks by the
10 journal editors, there's sort of these authoritative
11 voices. Obviously not everybody complies.

12 Q You would agree, wouldn't you, though that noting
13 that results are statistically significant continues
14 to the present day in papers and leading
15 epidemiological journals?

16 A Again if you're asking if it is in any journals, any
17 papers, absolutely. The prevalence of it I don't
18 know. The -- the time trends I can't speak to. But,
19 you know, I was going to say there -- there are a lot
20 of -- there are a lot of things individual
21 researchers do that I would take exception with and
22 that I think are out of line with good epidemiologic
23 practice, but it's not a -- there's -- there's more
24 freedom than that in what you publish and what you
25 say.

1 Q What would you consider to be the -- the leading
2 journals in your field?

3 A I would say that it includes the American Journal of
4 Epidemiology, Epidemiology just the one word,
5 International Journal of Epidemiology. I think
6 those -- in the pure epidemiology journals, that
7 would be -- those would be at the -- I think at the
8 top of the list. There are certainly other respected
9 journals.

10 Epidemiology appears in a wide range of medical
11 journals. But as far as specific to the field of
12 epidemiology, I put those three at the top.

13 Q Are you aware whether any of those three have any
14 type of guidelines that say, don't reference
15 statistical significance in your papers?

16 A Well, as I indicated in the report, two of them do
17 now. Epidemiology and the International Journal of
18 Epidemiology. The American Journal of Epidemiology,
19 as far as I could tell, has not weighed in on that
20 issue.

21 Q Are you aware of whether journals that publish
22 epidemiological studies include statistical
23 significance as a criterion for publication?

24 A That's -- again, that depends on individual reviewers
25 and editors. Again, it's been discouraged as a basis

1 but it -- I -- I recognize it -- it is not -- it
2 still is on occasion used by the authors to promote
3 their findings or by reviewers or editors to
4 highlight those findings.

5 There's -- yeah, I mean, I think that there's all
6 those variants of -- of what is sometimes done, but
7 it doesn't -- again, the fact that it's done on
8 occasion is -- is in part just a reflection of the
9 independence that authors have, reviewers, editors.
10 There's not a -- there's a reluctance, I think, to be
11 overly rigid, to be honest. To impose rules is -- is
12 something that I think researchers resist.

13 Q Have you ever served as a peer reviewer on a journal
14 that had statistical significance as a criterion for
15 publication?

16 A You know, I honestly don't know whether it was --
17 I've reviewed an awful lot of journals. And I don't
18 know whether it's an official policy. Certainly as a
19 reviewer it's something that -- well, I've been
20 critical of articles that -- that choose to
21 dichotomize results in that way. Whatever -- I can't
22 say what the editors do with my opinion; that's up to
23 them.

24 So I think that -- it's -- it's really it's
25 thought of as one of the challenges in being

1 entrusting that what's published accurately reflects
2 the state of knowledge. If it's a -- there's a
3 concern always with the selective publication, and if
4 the journal demands statistical significance, people
5 may find a way to make things, you know, look
6 statistically significant, but it's not necessarily
7 the most accurate portrayal of the results.

8 Q But going back to what I think my question was, which
9 was, have you ever served as a peer reviewer on a
10 journal that used statistical significance as a
11 criterion for evaluation of papers?

12 A I guess I'd have to say I don't know whether they did
13 or not.

14 Q In your report you state using statistical
15 significance as a benchmark doesn't reflect certain
16 other considerations that are important, such as how
17 noisy the measured association may be as a result of
18 random error; is that right?

19 A Well, there's -- there's -- right. It -- statistical
20 testing conflates the size of the study with the
21 magnitude of association. And so it doesn't tell you
22 exactly about either one.

23 And so at least on that level even if it's just
24 on those two issues -- and there's many other issues
25 that are important. It doesn't tell you about

1 response gradients or let alone confounding and so
2 on. But on the simple issue of how big is the study
3 and what is the estimate of the association, that --
4 it -- it doesn't tell you either of those. It -- it
5 mixes those together.

6 And so that's why I say that it doesn't -- well,
7 again, it's -- it doesn't provide clear information
8 for interpreting the study's precision because it
9 could be -- you could measure an association with an
10 odds ratio of 10 that goes from 1.1 to 50. You'd
11 say, oh, it's statistically significant. Well, it's
12 highly elevated and imprecise.

13 That's the way I would describe it. Or a
14 relative risk of 1.1 that goes from 1.05 to 1.15, and
15 that's statistically significant, and I would say
16 it's a very small increased risk but measured very
17 precisely.

18 So it's -- it's just trying to -- it's not --
19 it's just trying to make it more transparent in terms
20 of what it's saying.

21 Q You would agree, wouldn't you, that all data needs to
22 have some testing for chance of randomness?

23 A I would not say testing. It needs to -- if you're
24 going to interpret an association, you would want to
25 consider information on the role of random error. It

1 doesn't need to be that idea. It needs to be tested
2 as though you make a declaration. That is -- that's
3 not a -- a good strategy.

4 Q Would you agree that it needs to have a statistical
5 analysis done?

6 A Certainly for epidemiologic studies that are looking
7 at potential, you know, cause and effect
8 relationships, yes, we need some indication of the
9 association or other measure that indicates
10 statistically just whether the exposure is related to
11 the health outcome.

12 Q So it's still standard epidemiological and clinical
13 practice to do statistical analysis to assess chance?

14 A Certainly -- well, I was going to say, we do
15 statistical analysis to -- to understand what -- what
16 the study results say. And a component of that is
17 trying to address random error and precision.

18 But it can also be to better understand whether
19 confounding is present or not or to look at the
20 effect -- I mean, statistical analysis covers a lot
21 of territory, and -- and -- and it can be used for a
22 variety of purposes. You can use statistical
23 analysis to look for dose response gradients. You
24 can use it to see if confounding is a major problem
25 and so on.

1 Q So epidemiological journals still require statistical
2 analysis to be done in papers that are submitted; is
3 that true?

4 A Again, define "broadly." I can't imagine how you
5 would -- how you would get information on the study's
6 results without statistical analysis.

7 Q And without information like that on the study's
8 results, a journal will not publish a paper; is that
9 true?

10 A Right. Well, you can't just make a declaration
11 without showing the data. And in general certainly
12 any higher quality journal is -- is going to expect
13 and demand that you describe the methods clearly and
14 that you describe the results clearly. And that
15 describing the results means some appropriate
16 statistical analysis, yes.

17 Q Okay. I'm about ready to change subjects a little.

18 Do you want to take a break or should we keep
19 going?

20 A Keep going for a bit.

21 Q Okay.

22 A Just need a little water.

23 (Deposition Exhibit No. 4, Excerpts from
24 Epidemiology and the Law, was marked for
25 identification.)

1 BY MR. BAIN:

2 Q Dr. Savitz, I've shown -- showing you what's been
3 marked as Exhibit 4, and I believe you're familiar
4 with this. This is excerpts from your book excerpts
5 from Epidemiology and the Law.

6 Do you see that?

7 A Yes, I do.

8 Q And starting on Page 75, you have a section entitled
9 Commonly Used Argument in Support of Judgment of
10 Causality.

11 A Yes. 75 you said?

12 Q Yes. Are you there?

13 A Yes, I am.

14 Q Again, the title of that section is Commonly Used
15 Arguments in Support of a Judgment of Causality,
16 correct?

17 A That's correct.

18 Q And the first section is entitled Statistical
19 Evidence of an Association, correct?

20 A Yes.

21 Q And it states, The first criterion that needs to be
22 met is evidence that a statistical association is
23 present, a necessary but not sufficient basis for
24 inferring causal effect. Correct?

25 A That's correct, yes.

1 Q And why is having statistical association necessary
2 to infer causal effect?

3 A Well, the -- again from the point of view of
4 epidemiology, there -- in order to make an inference
5 that exposures caused an increase in risk, you need
6 to demonstrate that those who are exposed have a
7 higher risk than those who are not. And that's what
8 I mean by a statistical association.

9 Q And why is having a statistical association not
10 sufficient to infer causal effect?

11 A Well, there could be a variety of noncausal reasons
12 that there is a -- an association is present. It
13 could be due to confounding or a particular pattern
14 of measurement error or a -- due to random error
15 among other -- I mean, that's not the only list, but
16 there's a -- there's a judgment to be made about
17 whether that is likely to be a -- a result of a
18 causal effect versus some artifact of a methodologic
19 problem.

20 Q In the next sentence you point out that, Statistical
21 evidence of association is often in the form of a
22 relative risk comparing the frequency of disease
23 among those who are exposed, open paren, or more
24 exposed, close paren, to those who are not exposed,
25 open paren, or less exposed, closed paren; is that

1 correct?

2 A Yes, it is.

3 Q And then in the next sentence you state, in
4 presenting that relative risk, there is an interest
5 both in how big it is in absolute terms and how
6 precise it is; is that correct?

7 A Yes, it is.

8 Q Why is there an interest in how big it is?

9 A Well, the -- ultimately, when you're trying to infer
10 a -- whether or not there's a causal effect present,
11 this does go all the way back to the Bradford Hill
12 considerations; if it's a large association, there
13 may be less plausibility that it's a product of
14 artifacts.

15 That's the general statement. Not always true.
16 It's a concept that I think is reasonable, but in
17 order to interpret it -- and again, in this weighting
18 of evidence, you would be interested in both of those
19 factors, how big and how precise as well as, of
20 course, all the other -- all the other methodologic
21 considerations.

22 Q You mention the Bradford Hill criteria and one of
23 those is strength of association, right?

24 A That's right. Again, considerations. It's a --
25 again, quibbling over the point that it's another

1 area where the original intent was to, having
2 observed an association, to help evaluate how likely
3 it is to be causal and that's one of the
4 considerations he raised with the idea that that
5 makes all other things equal, a large association is
6 less likely to be a product of some artifact.

7 Q So all other things being equal, the stronger the
8 association is, the more confident that you can be
9 that the association reflects a real relationship,
10 right?

11 A Well, there's -- again, the -- again, I would be
12 careful about the word "real." You can -- the
13 statistical evidence of an association is greater if
14 the -- you know, the relative risk is bigger and the
15 precision is better.

16 So the question, is there even a statistical
17 association present, our confidence in that goes up
18 as those factors are taken into account. I would
19 separate that from the inference, is it causal or
20 not? That -- that's more complicated.

21 Q So you're distinguishing between a statistical
22 association and the ability to infer causation?

23 A That's correct, yes.

24 Q In your book on the same page below where we just
25 read, you note that, 1.0 indicates no association,

1 correct?

2 A That's correct.

3 Q And you state, At 1.2 there may be a modest increase,
4 correct?

5 A That's -- again, illustratively, yes.

6 Q And also illustratively you say, A relative risk of
7 1.5 to 2.0 is a more substantial increase, right?

8 A Yes. Again, I -- those are -- I hope it's clear at
9 least in the writing that those are illustrative
10 numbers and they're not -- there's no magic to them.
11 There's no binning that would say -- that those are
12 criteria to be met.

13 It's just trying to be clear that an
14 association -- you know, that the magnitude is
15 something to pay attention to.

16 Q And you say that larger associations are those beyond
17 2.0, correct?

18 A Again, increase or a larger association.

19 As I said, it's -- I hope the writing is clear,
20 at least I intended it to state, not that these are
21 bins but that there's a spectrum of elevated risk
22 from lower -- you know, lesser increases to greater
23 increases.

24 Q And in the last sentence of that paragraph you state,
25 It's harder to make a convincing case for a causal

1 effect of small associations as compared to larger
2 ones, correct?

3 A That's correct, yes.

4 Q And I think as you already mentioned, in addition to
5 how big the statistical association is, there's a
6 consideration of precision of the point estimate and
7 that's reflected by the statistical testing that
8 we've discussed earlier, right?

9 A Again, I would reflect it in the confidence interval,
10 but, yes, statistical analysis, I would say, is used
11 to help characterize the precision and the --
12 that's -- with any association there's an
13 interpretation involved. And with small associations
14 there is a -- you know, a greater focus on the
15 potential that -- that it's really null and just --
16 that the small elevations are not meaningful.

17 But with enough -- with the right research and
18 the right context and so on, there's certainly a real
19 but small causal associations. I mean, a dramatic
20 example is air pollution where we have these tiny
21 increments in risk from particulates but are quite
22 confident in -- and regulatory agencies are
23 confident, others, that it's a causal effect. It's
24 just a small increment.

25 Q And usually because that's based on a study that is

1 surveying very large groups, right?

2 A They've done studies of, you know, 60 million people
3 and so they get very precise results but it's also --
4 you know, this business I mentioned of triangulation,
5 you can replicate it using different designs. It's
6 consistent with more sort of biologic effects on the
7 lung.

8 There's a variety of ways to address it that can
9 build that confidence. It's easier, I would say,
10 when the associations are larger to make a convincing
11 case.

12 Q Would you say that when the association is -- is
13 smaller, it's more important to have those other
14 considerations of Bradford Hill pointing toward
15 causation?

16 A Again, I -- I think -- the way I think of the Hill
17 considerations is that they're trying to distinguish
18 causal and noncausal effects and I think that the
19 scrutiny that's required often is greater for -- you
20 know, to make that judgment regarding smaller
21 magnitudes of association.

22 You know, and we discovered that the human
23 papillomavirus is related to cervical cancer with a
24 relative risk of 30. Of course it made biologic
25 sense, it meets all the criteria.

1 There is sort of less inherent plausibility of
2 a -- of an artifact with air pollution and
3 respiratory disease there's more possibilities
4 because it's small.

5 So it -- it's really back to that issue of
6 distinguishing between associations that are causal
7 and those that are due to artifacts.

8 Q So as we discussed in addition to how big the
9 relative risk is, there's the consideration of the
10 precision of the relative risk, which is indicated,
11 you said, by confidence intervals, correct?

12 A Yes.

13 Q And as you state at the bottom of this page, I'm
14 looking at Page 75 of your book, Evaluation of
15 precision is an attempt to distinguish between signal
16 and noise with small studies less able to do so with
17 confidence and larger studies more discerning,
18 correct?

19 A That's correct.

20 Q And then you go on to state, A small and imprecise
21 indication of an elevated relative risk may be
22 unpersuasive, right?

23 A Yes.

24 Q And that's unpersuasive with respect to causal
25 inference, right?

1 A Again, I -- I would -- we're still, I think, on that
2 first step. Are we -- are we confident there's an
3 association present?

4 Q Okay.

5 A And so it's -- it's a -- it's a step towards the
6 causal inference but it's not the causal inference
7 itself.

8 Q So confidence that it's in a real -- a real
9 association?

10 A Yes. That there's a statistical association present.
11 That -- that is, I think, what I meant by that.

12 Q And then you state, A large and precise indication of
13 relative risk makes the argument that an association
14 is present more convincing, correct?

15 A It's an easier argument to make when you have those
16 attributes.

17 And, again, these are obviously -- it's written
18 in a way that it's pretty clear these are sort of
19 generic guidelines that in any given case, you know,
20 of course the usual answer, it depends. But these
21 are general principles that I think are worth keeping
22 in mind.

23 Q Written with the lawyer audience in mind to make it
24 easy to understand?

25 A I tried, but again -- well, whether I succeeded or

1 not, others -- others can judge, but to not have this
2 division between -- which is troubling to me of the
3 way these things are viewed in the scientific arena
4 and in the legal arena.

5 And there are those times where I feel like we've
6 not maybe communicated well, it hasn't penetrated. I
7 can't speak to the legal arena other than I'm trying
8 to do what I -- you know, I was trying to do what I
9 could to make it accessible and intuitively
10 reasonable, but again, that's up to the reader.

11 Q So you mention here, A small and imprecise indication
12 of elevated relative risk and a large and precise
13 indication of elevated relative risk.

14 What would you say about -- or how would you
15 characterize a large but imprecise --

16 A Mm-hmm.

17 Q -- indication of relative risk?

18 A Mixed. I mean, it's a -- you know, there -- as I
19 said with any example we come up with, there are
20 times where these -- that kind of evidence is proven
21 to be important indicators of a causal effect.

22 And so it -- it's a matter -- you know -- you
23 know, it depends on what decision you're trying to
24 make from it. I think that -- that the -- all other
25 things equal, the larger the effect size and the

1 greater the precision, the more weight it carries in
2 not just the causal inference but in whether it's
3 going to lead to future research and improved
4 studies. In some ways when it's just -- when the
5 problem is study size if it's feasible that's
6 actually a more tractable problem than some of the
7 other things we run into.

8 If the solution is a bigger study, then that is,
9 you know, to a degree that may be an attainable goal,
10 so...

11 Anyway, I think that there's not a dividing
12 point; it's the -- as I said, this is back to the --
13 these are all relevant considerations.

14 Q Are you familiar with the term "confidence interval
15 ratios"?

16 A Yes, I am.

17 Q What is your understanding of confidence interval
18 ratios?

19 A It's, I think, a useful way to try to give a sense of
20 precision of the study -- note, it's interesting, it
21 doesn't relate to what the point estimate is, we're
22 putting that aside from now. It's separating those
23 issues. But it's trying to convey a sense of the
24 study's precision.

25 And so I think in this -- well, book or I -- but

1 anyway, it was in my report, but there's a -- you
2 know, when you get to a certain magnitude, it's
3 saying, this is a very imprecise study. You know,
4 you get ratios like 10.

5 That means, like, a -- you know, let's say the
6 confidence interval is, you know, .2 to 2, you've got
7 a -- you know, you've got a challenge there to try
8 to -- it just says it's potentially very noisy. It
9 doesn't mean you throw it out, but it's saying that's
10 pretty noisy; whereas if it's, you know, 1.1 to 2,
11 well, okay, we're okay there, there we can work with
12 that.

13 Q So the confidence interval ratio is the upper end of
14 the confidence interval divided by the lower end,
15 right?

16 A That's correct, yes.

17 Q And the smaller that number is the more precise the
18 point estimate is?

19 A That's right.

20 Q And you believe that's a useful measure of precision?

21 A It's a simple benchmark that can be used to -- to try
22 to -- again, it's sort of a way of -- even though
23 it's a number, I would say it's a way qualitatively
24 of saying is this a, you know, is this a reasonably
25 precise study, has it got a lot of problems with

1 imprecision; and it's a -- it's sort of a shorthand
2 indicator, I would say.

3 Q You've reviewed some of the ATSDR's public health
4 studies on Camp Lejeune, haven't you?

5 I saw you commented on some of them in the press.

6 A I had seen some -- boy, this is going back a ways
7 timewise.

8 I had seen some initial results. It may have --
9 I'm not sure. I think it went beyond the press
10 release, but I'm not sure about that. You know, I
11 haven't examined those in detail or -- or wouldn't be
12 in a position now to sort of offer any sort of a
13 detailed assessment. I'm certainly aware of them.
14 Let's put it that way.

15 Q Were you aware that the -- some of the ATSDR Camp
16 Lejeune studies used the concept of confidence
17 interval ratios to identify noteworthy findings?

18 A That I -- I don't -- I was not aware of or am not
19 aware of.

20 Q Okay.

21 MR. BAIN: Do you want to take a break now?

22 THE WITNESS: Sure. Thank you.

23 VIDEOGRAPHER: All right. We're going off record
24 at 10:19.

25 (Whereupon a recess was held at 10:19 a.m., and

1 the deposition was resumed at 10:34 a.m.)

2 (Deposition Exhibit No. 5, Cancer Incidence Among
3 Marines and Navy Personnel and Civilian Workers
4 Exposed to Industrial Solvents in Drinking Water at
5 US Marine Corps Base Camp Lejeune: A Cohort Study,
6 was marked for identification.)

7 VIDEOGRAPHER: We're going back on record. The
8 time is 10:33.

9 BY MR. BAIN:

10 Q Dr. Savitz, I've handed you what has been marked as
11 Exhibit 5. This is a Cancer Incidence Among Marines
12 and Navy Personnel and Civilian Workers Exposed to
13 Industrial Solvents in Drinking Water at US Marine
14 Corps Base Camp Lejeune: A Cohort Study.

15 Do you see that?

16 A Yes, I do.

17 Q And have you read this study before?

18 A I do not think that I have.

19 Q If you look at the abstract, and do you see the
20 section titled Results?

21 A Yes, I do.

22 Q And if you look at that section, do you see that
23 results are identified that had a hazard ratio of
24 greater than or equal to 1.20 with CIR, which I take
25 it stands for confidence interval ratio, of less than

1 or equal to 3?

2 A Yes, I see where it says that.

3 Q What does that benchmark that was used tell you about
4 the size of effect in the precision of the finding?

5 A Well, again the -- without having read the paper, and
6 I can't speak to it in detail, but when they set up
7 those criteria, presumably they were looking for the
8 most I would say credible or convincing associations
9 that are based on the point estimate and based on the
10 confidence interval ratio.

11 And they made those a joint criteria for what
12 they're highlighting there.

13 Q Do you agree with that methodology for identifying
14 significant results?

15 A Well, that's not -- again, as I said, I -- it --
16 it -- I think that -- that it's reasonable to
17 consider both of those factors. I am always wary of
18 dichotomies that, you know, if the confidence
19 interval ratio was 3.1, well, would you not be
20 talking about it, and wherever you draw the line
21 that's going to be an issue.

22 I think that it's very reasonable though to -- to
23 have some joint consideration of the magnitude and
24 precision those -- that that would be reasonable. As
25 I said, it's the -- and I don't know what their

1 purpose was without having read the paper in terms of
2 screening the results.

3 I assume it's just to decide what to highlight
4 and that somewhere in the paper they would present
5 the full array of results.

6 Q In your opinion is that benchmark better than
7 applying statistical significance?

8 A I think -- it's -- it's certainly -- I mean, first of
9 all it's conceptually clearer. They're not smashing
10 together both of those factors. They're looking at
11 them separately.

12 When you say better, I think it's a -- I want to
13 say it's going to sort of be more inclusive. It's --
14 it's to me at least a bit less arbitrary, other than
15 the extent to which any cutpoint is going to be
16 arbitrary.

17 This -- this seems -- again, without having read
18 the paper, going into detail, it seems like a
19 reasonable benchmark for what they choose to
20 highlight.

21 Q So I think you said you believe this is more
22 inclusive than the benchmark of statistical
23 significance; is that correct?

24 A I think that -- I can't off the top of my head tell
25 you the degree to which they would correspond. In

1 other words, whether every -- every association
2 that -- that is statistically significant would be
3 captured that way.

4 But intuitively I would think that it's -- it's
5 capturing a number of those that would not have been
6 flagged based on statistical significance alone. I
7 have to look at the -- again, I'm just glancing
8 through the abstract. And it -- and there are
9 certainly some that are highlighted presumably based
10 on -- as I said, I should probably be careful.

11 I'm trying to do an accounting of their results
12 without having read the paper. So I -- I would need
13 to look at that specifically. But, again, I was --
14 that was a guess that it would be more inclusive.

15 Q Well, if you look at the bottom it includes at least
16 one where the lower end of the confidence interval
17 ratio was below one which would be for myeloid
18 cancers.

19 Do you see that one?

20 A Let me read through here.

21 Polycythemia vera. There are several -- right.
22 It's interesting that -- and again I have -- most of
23 them are -- are, you know, conventionally
24 statistically significant. A few of them the
25 boundary is a little bit below one, but -- but no

1 less credible.

2 Q So going back to my original question, would you say
3 that this in your view of how you view point
4 estimates and confidence intervals a better benchmark
5 than using statistical significance?

6 A Again, I -- I -- I can't give you a generic answer
7 better for what purpose.

8 Q For identifying significant results from a study.

9 A I -- as I said, I'm not trying to be evasive, but,
10 you know, significant in the sense of worth paying
11 attention to. You know, I would be wary of any
12 method that highlights some and dismisses others
13 because again it's -- it's an arbitrary sort of
14 benchmark.

15 I think that for any one of the outcomes of
16 interest, the results would be interesting and
17 relevant without making a declaration, these are
18 positive, these are not. They would be adding
19 information outcome by outcome.

20 Q So you can't say in your opinion whether this would
21 be better than statistical significance as used as a
22 benchmark for identifying significant results?

23 A Without -- again without a clear understanding of the
24 purpose, I can't.

25 Q Are you familiar with confidence interval ratios

1 being used in other epidemiological studies?

2 A Oh, that -- I mean, as a general statement, yes. I
3 mean, they're commented on. I don't know -- again, I
4 don't -- I can't off the top of my head tell you
5 where it's been used -- said to decide what to
6 highlight the way it -- at least from the abstract
7 appears to be used here.

8 But I have seen it -- the calculation is -- is --
9 is not infrequently done to try to put the results
10 into -- into some context with regard to precision.

11 Q So you -- you have seen that in other papers outside
12 the ATSDR's work?

13 A I believe so. Again, I can't give you citations of
14 that, but I've -- I've seen it used. I probably at
15 one time or another have used it myself.

16 (Deposition Exhibit No. 6, Excerpts of the ATSDR
17 Assessment of the Evidence for the Drinking Water
18 Contaminants at Camp Lejeune and Specific Cancers and
19 Other Diseases dated January 13th, 2017, was marked
20 for identification.)

21 BY MR. BAIN:

22 Q Dr. Savitz, I've shown you what has been marked as
23 Exhibit 6. And this is excerpts of the ATSDR
24 Assessment of the Evidence for the Drinking Water
25 Contaminants at Camp Lejeune and Specific Cancers and

1 Other Diseases dated January 13th, 2017.

2 Do you see that?

3 A Yes, I do.

4 Q And if you turn to Page 8.

5 A Okay.

6 Q And if you see the -- the last full paragraph, it's
7 the next-to-the-last paragraph on that page, do you
8 see where it says, In the disease-specific tables,
9 95 percent confidence intervals were provided in
10 order solely to indicate the level of precision or
11 uncertainty in the effect estimates. An effect
12 estimate, open paren, e.g., risk ratio, odds ratio or
13 standardized mortality ratio, closed paren, was
14 considered to have good precision, open paren, or
15 less uncertainty. If the ratio of the upper limit to
16 the lower limit of its 95 percent confidence interval
17 was less than or equal to 2.

18 Do you see that?

19 A Yes, I do.

20 Q Do you agree that that is a good indication of
21 precision where the ratio of the upper limit to the
22 lower limit of the 95 percent confidence interval is
23 less than or equal to 2?

24 A It seems to me like a -- again, a reasonable approach
25 with the caveat that any -- any dividing line is

1 arbitrary, and if it's close to that value, you know,
2 as I said whenever you're, you know, distinguishing
3 something that's 1.9 as a ratio versus 2.1, there is
4 that issue.

5 But overall I think that that statement suggests
6 to me that they're thinking about this and
7 approaching it in a reasonable way.

8 Q I think you said that you may have used confidence
9 interval ratios yourself; is that correct?

10 A I may have. I -- I don't -- I can't tell you --
11 again, I've written a lot of papers over the years,
12 and I just honestly don't remember.

13 Q Do you recall whether you used any particular
14 confidence interval ratio as indicating a certain
15 level of precision?

16 A You know, I -- I -- I think if I used it, it would
17 have been only to note, you know, what it was
18 either -- and as I said, this is speculative.

19 At least maybe I should just say that I think
20 that ideally the way I would want to use it would be
21 to make the calculation and have it be just without a
22 declaration, like without a cutpoint just as part of
23 the consideration. If I looked at a confidence limit
24 ratio and it was 10, I might say, well, you know,
25 we're really not very sure about that at all.

1 But I don't think I would have -- I tend to avoid
2 these, you know, sort of arbitrary cutpoints, if I
3 can.

4 Q So is your whole perspective to kind of do a holistic
5 view rather than use any type of benchmark or litmus
6 test to separate significant from insignificant
7 findings?

8 A I think that when I'm -- again usually I'm in --
9 trying to assess the -- sort of the integration of
10 the evidence, waiting studies, and that waiting is
11 inherently got a lot of dimensions to it. So it's --
12 it's again the combination of the methods and the
13 results.

14 But I would say that I do use multiple
15 considerations for a given study and in assembling
16 the evidence across studies.

17 Q Okay. You can put that aside.

18 (Deposition Exhibit No. 7, Dr. Steven B. Bird
19 General Causation Expert Report, was marked for
20 identification.)

21 BY MR. BAIN:

22 Q Dr. Savitz, I'm showing you what has been marked as
23 Exhibit 7. This is the General Causation Expert
24 Report of Steven B. Bird, M.D., Hematopoietic
25 Cancers, Leukemia, Non-Hodgkins Lymphoma.

1 Do you see that?

2 A Yes, I do.

3 Q And I take it from your prior testimony you've
4 never -- you've not reviewed this report?

5 A I have not.

6 Q Turn to Page 42. And, again, this is an excerpt of
7 his report.

8 A Okay.

9 Q Do you see under Leukemia Findings there is the
10 statement that, Civilians exposed to medium levels of
11 TC and PCE combined. And in parentheses it says,
12 10,868 to 50,563 part per billion months for TCE or
13 457 to 2,118 PP months for PCP, close paren, had an
14 odds ratio of 1.41, 95 percent confidence interval
15 range of 0.38 to 5.28 reflecting a 41 percent
16 increase of the leukemia compared to Camp Pendleton
17 civilians.

18 Do you see that?

19 A Yes, I do.

20 Q Under a traditional understanding of statistical
21 significance, that result is not statistically
22 significant because the lower end of the confidence
23 interval is less than 1, right?

24 A That is true, yes.

25 Q Would you agree that the confidence interval is very

1 wide?

2 A Again, you know, we get into adjectives describing
3 it, but yes, I would say that that is a wide
4 confidence interval.

5 Q And would you have any hesitation about using that
6 result to make an inference of causal relationship?

7 A I think that in an overall assessment, the way I
8 would describe it is results like that weigh weakly
9 in a positive direction.

10 In other words, it -- if you're saying, is it
11 pointing toward -- more positive than negative?
12 Well, it's imprecise, it's not adding a lot of
13 weight, but it -- it hints at a direction, and
14 I would not overinterpret or put -- as I said, put a
15 lot of stock in this as an isolated finding.

16 Q Okay. If you look at the next paragraph, do you see
17 where it says, When comparing civilians at Camp
18 Lejeune to their counterparts at Camp Pendleton, the
19 overall odds ratio was 1.10, 95 percent confidence
20 interval, 0.36 to 3.38, providing evidence that
21 exposure to the contaminated water and to the
22 chemicals it contained during the time of the study
23 period was sufficient to increase the risk of
24 leukemia.

25 Do you see that?

1 A I see that, yes.

2 Q And under a traditional understanding of statistical
3 significance, that result is not statistically
4 significant because the lower end of the confidence
5 interval is less than 1, correct?

6 A That is correct.

7 Q The confidence interval is also wide with a ratio of
8 almost 10; is that right?

9 A That would be correct, yes.

10 Q Would you have any hesitation in using that result to
11 make an inference of causation?

12 A Again, it -- it depends whether you're saying in
13 isolation that alone would be providing strong
14 evidence. I would actually focus back on sort of
15 the -- it adds a tiny bit of statistical support to
16 the body of evidence pointing in a positive
17 direction, but very weakly and to a very limited
18 extent.

19 I think that -- that, again, it's -- it's -- as
20 I said, it's -- you know, in making a causal
21 inference, I don't want to do -- I never would want
22 to do that on any one study, this one or any other
23 one.

24 It's just a matter of how does this puzzle piece
25 or this brick or whatever metaphor you want to use

1 weigh in. And it -- given the imprecision, it's a
2 very modest -- you know, very, very little
3 contribution.

4 Q The statement is that, This provides evidence that
5 exposure to contaminated water and the chemicals it
6 contained during the time period of the study was
7 sufficient to increase the risk of leukemia.

8 Would you consider that to be an overstatement?

9 A Again, I don't know what all that was based on.
10 I think that -- as I said, I think this result alone
11 is quite fragile and quite limited regarding a
12 statistical association or -- or a causal effect.

13 Q Okay. If you turn back to Page 32.

14 Do you see there's a section entitled TCE there?

15 A Yes, I do.

16 Q And do you see in the second paragraph there's a
17 statement, Talibov, et al, investigated elevated risk
18 of AML with solvent exposures including TCE in a
19 case-control study from Scandinavia. And the
20 citation of the study is provided in brackets.

21 And then it states, They found an elevated HR of
22 1.12, 95 percent confidence interval .83 to 1.49 in
23 medium and high exposure groups.

24 Do you see that?

25 A Yes, I do.

1 Q Are you familiar with that study?

2 A I am not.

3 Q And again under traditional understanding of
4 statistical significance, that result is not
5 statistically significant because the lower end of
6 the confidence interval is less than 1, right?

7 A Again, as a -- right, as you said, couching it in the
8 formalities in -- and traditions of statistical
9 significance testing, that would not be statistically
10 significant.

11 Q Would you have any hesitation inferring a causal
12 relationship based on that result?

13 A Again, I -- I would put aside for the moment a causal
14 relationship because that -- that is a question more
15 broadly about -- in this case, apparently, TCE and
16 whatever -- you know, at least in that case, we're
17 dealing with acute myeloid leukemia.

18 I would say that in looking at that, that it
19 provides some, but modest, support for a small
20 elevation in risk, that it's -- in contrast to some
21 of the other ones we've talked about, the confidence
22 interval suggests it's a reasonably large study, it's
23 a modest increase. And so I would take that into
24 account in weighing the overall body of evidence.

25 Q And this -- this study came out in 2014 which was

1 after the NRC work that you did on TCE and PCE in
2 Camp Lejeune, right?

3 A That's -- again, correct. Again, referring back to
4 the -- I'm hesitant to say my work, the National
5 Academies Committee that took this on and addressed
6 it and wrote that report.

7 Q If you had had the benefit of this particular study,
8 do you think that would have changed in any way that
9 the academy classified the relationship between TCE
10 and leukemia?

11 A That -- that really is impossible to speculate given,
12 you know, it's obviously in the context of a -- not
13 just a large body of research but a whole team on the
14 committee that would have been looking at it, so
15 I can't really say.

16 Q Okay. If you can turn to Page 35?

17 And looking at the first full paragraph, do you
18 see where it says -- and this is in relationship to
19 the Aschengrau, et al, 1993 study.

20 If you look on the prior page, it says, The
21 authors define the risk of leukemia and other cancers
22 for the Cape Cod cohort people exposed to any amount
23 of PCE had a relative risk of leukemia of 1.72,
24 95 percent confidence interval 0.5 to 4.71, which
25 demonstrates a 72 percent increased risk.

1 Do you see that?

2 A Yes, I do.

3 Q And again, under the traditional understanding of
4 statistical significance, that result is not
5 statistically significant, correct?

6 A That's correct.

7 Q And this study by Aschengrau was done in 1993 so the
8 National Academy of Sciences Committee which you
9 chaired would have had the benefit of that study,
10 right?

11 A I assume so. I'd need to just look at the reference
12 list just to make sure, but I believe it did.

13 Q Do you recall that study at all?

14 A Again, it's been quite a long time and in very
15 general terms, I do remember we had a section on
16 community studies separate from occupational studies,
17 and I believe it would have been included in that.

18 Q And the confidence interval ratio for that particular
19 result is close to 10, correct?

20 A That's correct, yes.

21 Q And you would consider that to be a wide confidence
22 interval, wouldn't you?

23 A Yes. Again, as we talked about, it's a -- you know,
24 potentially a meaningful elevation in risk that is --
25 suffers from imprecision.

1 MR. BAIN: Okay. I'm done with that particular
2 exhibit.

3 (Deposition Exhibit No. 8, General Causation
4 Expert Report of Steven B. Bird, MD, Parkinson's
5 Disease, was marked for identification.)

6 BY MR. BAIN:

7 Q Dr. Savitz, I've shown you what has been marked as
8 Exhibit 8, General Causation Expert Report of Steven
9 B. Bird, MD, Parkinson's Disease.

10 Do you see that?

11 A Yes, I do.

12 Q And based on your prior testimony you haven't
13 reviewed this report before, have you?

14 A That's correct, I have not.

15 Q I would like you to turn to Page 28.

16 If you look at the first full paragraph, do you
17 see where it states, When comparing Camp Lejeune
18 civilians to those at Camp Pendleton, the study found
19 a hazard ratio of 3.13, 95 percent confidence
20 interval, 0.76 to 12.86, indicating that civilians at
21 Camp Lejeune had a 213 percent higher risk of PD,
22 Parkinson's disease, than those at Camp Pendleton,
23 more than a doubling of the risk. These findings
24 reinforce the idea that the chemicals at Camp Lejeune
25 were present in sufficient quantities to cause

1 Parkinson's disease.

2 Do you see that?

3 A Yes, I do.

4 Q And just to make sure you know what that particular
5 paragraph is referring to, if you turn to the prior
6 page, this section deals with the Bove 2014B study,
7 cancer mortality study of civilian employees exposed
8 to contaminated drinking water at Camp Lejeune, North
9 Carolina.

10 Do you see that?

11 A Yes.

12 Q So going back to that result, 3.13 with a confidence
13 interval of 0.76 to 12.86, again, under traditional
14 statistical significance, that result is not
15 statistically significant, is it?

16 A Right, that's correct.

17 Q And the confidence interval ratio is over 10, right?

18 A I -- I don't know exactly what it is, but it's --
19 it's substantial. So, again, the idea of presenting
20 both is that it suggests a rather sizeable increase
21 in risk.

22 It -- there's a lot of uncertainty around it, but
23 that -- I wouldn't -- I would certainly not consider
24 that a -- just, again, just based on the numbers
25 alone. No -- I can't give you any context, I can't

1 tell you more about the report, but I would say that
2 that provides, you know, meaningful evidence
3 supportive of an association.

4 Now, whether it's 3.1 or 2.5 or 7, I can't -- you
5 know, the -- there's a lot of variability around it,
6 but it's -- it's substantial enough that even with
7 the wide confidence interval, I think it does provide
8 some meaningful support.

9 Q And I think you said in your answer support for an
10 association, right?

11 A Yes, that's a separate then that isn't going to be
12 evaluated based on that study alone. Looking at the
13 totality of evidence is what you would need to do to
14 make a judgment about a potential causal effect.

15 Q And the author says, These findings reinforce the
16 idea that the chemicals at Camp Lejeune were present
17 in sufficient quantities to cause Parkinson's
18 disease.

19 Do you see that?

20 A Yes, I do.

21 Q So the author uses the term, "cause," correct?

22 A That is correct, yes, in that phrase.

23 Q Do you know how many persons died at -- of
24 Parkinson's disease at Camp Lejeune versus Camp
25 Pendleton which formed the basis for that statement?

1 A I do not.

2 (Deposition Exhibit No. 9, Mortality Study of
3 Civilian Employees Exposed to Contaminated Drinking
4 Water At US Marine Corps Base Camp Lejeune: A
5 Retrospective Cohort Study, was marked for
6 identification.)

7 BY MR. BAIN:

8 Q Dr. Savitz, I've shown you what has been marked as
9 Exhibit No. 9. That is entitled Mortality Study of
10 Civilian Employees Exposed to Contaminated Drinking
11 Water At US Marine Corps Base Camp Lejeune: A
12 Retrospective Cohort Study.

13 Do you see that?

14 A Yes, I do.

15 Q And do you see the date is 2014?

16 A Yes.

17 Q And does that correspond to the study we were just
18 discussing, which was commented on in Exhibit No. 8?

19 A Again, I -- I really don't know. I'd have to --

20 Q Okay.

21 A -- track back and see which population, what
22 comparison and so on.

23 Q So we'll take a look at that.

24 If you'd turn to Page 8 of that study.

25 A Okay.

1 Q And do you see at the bottom of the list of Diseases
2 of Secondary Interest Parkinson's disease is noted?

3 A Yes.

4 Q And do you see the hazard ratio there of 3.13 with a
5 confidence interval ratio of .76 to 12.86?

6 A Yes, I do.

7 Q And does that correspond to the estimate -- or the
8 figure that was referenced in Exhibit 8 that we just
9 looked at?

10 A Yes, it does.

11 Q And do you see that there is listed a -- numbers in
12 the -- the final columns of that particular table?

13 A Yes.

14 Q And do you see for Camp Lejeune the number is --
15 is 5?

16 A Yes.

17 Q And for Camp Pendleton the number is 4?

18 A Yes.

19 Q So the fact that this statistic is based on five
20 deaths from Parkinson's disease at Camp Lejeune
21 versus 4 deaths from Parkinson's disease at Camp
22 Pendleton, does that give you any less confidence
23 that that number is helpful for making any type of
24 inference on causation?

25 A Again, I would focus first on -- on what it can say

1 about the -- my confidence that there is a
2 statistical association present putting causality
3 aside. It's interesting I think those are all --
4 across the whole set of columns those are informative
5 numbers; the point estimate, the confidence interval,
6 the P value and the number of cases.

7 And I think they reinforce the notion that there
8 appears to be an elevated risk that is limited by
9 the -- the rarity of the disease and the -- the
10 resulting precision. But it -- remember the rarity
11 of the disease and the size of the populations are
12 sort of, in a sense, what you're stuck with.

13 And then it's saying excepting that, what does
14 this study tell us. And it -- to me at least it says
15 there really may well be a signal out there. There
16 is evidence supportive of an association.

17 And I wouldn't say -- you know, if you told me
18 the numbers were 1 and 2, I would say, well, this is
19 negligible. This is just -- you know, again it's --
20 it's modest, but it's -- it's not negligible. If the
21 P value were .8, I would say, oh, this is really,
22 really fragile. It's -- it's limited but it's not
23 negligible in my view.

24 Q Okay. Turn back to -- put that exhibit aside for a
25 bit --

1 A Okay.

2 Q -- and go back to Exhibit No. 8.

3 A Okay.

4 Q And go to Page 28, which is the same page we were on
5 before. So it's referring to the same study.

6 A Okay.

7 Q And do you see in the middle of the page there is a
8 paragraph that starts with this study?

9 Do you see that?

10 A Yes, I do.

11 Q And it states, This study also compared civilians at
12 Camp Lejeune with below median exposure to those with
13 above median exposure for TCE the hazard ratio is
14 2.51, suggesting a 151 percent increased risk of PD,
15 Parkinson's disease.

16 For PCE the hazard ratio was 2.68, suggesting a
17 168 percent increased risk of Parkinson's.

18 For TVOC, a combination of all the chemicals, the
19 hazard ratio was 2.52, suggesting a 152 percent
20 increased risk of PD.

21 Do you see that?

22 A Yes, I do.

23 Q And those particular hazard ratios are provided
24 without providing the corresponding confidence
25 intervals, correct?

1 A At least again in the text that's provided there,
2 that's correct.

3 Q And I think as we discussed earlier -- and correct me
4 if I'm wrong but it's standard practice to include
5 the confidence intervals when including a hazard
6 ratio in epidemiology, right?

7 A Again, I think that -- that it is traditional not --
8 you know, it's -- it's commonly done to quantify the
9 precision.

10 Q Okay. If you can look back at Exhibit No. 9. And --
11 and keep that open because it will help you refer to
12 what we were just discussing. And go to Page 10 -- I
13 mean, sorry -- yeah, Page 10.

14 A Okay.

15 Q And do you see a table that it provides Hazard Ratios
16 for Categorized Maximum Cumulative Exposure for
17 Continuous Cumulative Exposure for Certain
18 Conditions?

19 A Yes.

20 Q Table 6.

21 A Okay.

22 Q And so for the -- the TC hazard ratio number that was
23 in the report we just looked at, 2.51, do you see
24 that the confidence interval was from .21 to 30.76?

25 A I do, yes.

1 Q Given that confidence interval, do you believe that
2 the 2.51 is a reliable hazard ratio to consider in
3 making a statement that there was 151 percent
4 increase in Parkinson's disease?

5 A I would probably avoid any -- anything that sounds
6 that precise. I mean, if I -- again just looking at
7 the table without the context, I think the exercise
8 of asking the question, even with only four cases,
9 where they tend to concentrate in the subset with
10 higher exposure, reasonable question to ask. And I
11 would -- you know, subject to the very limited
12 numbers, there was a tendency for those with higher
13 exposure -- the risk -- the elevation tended to be
14 concentrated in the subset with the higher exposure.

15 I'd be very careful about quantifying it or
16 conveying a sense of any precision given that --
17 given that confidence interval and given the just --
18 even without the confidence interval, with only
19 four cases you're getting into a range where it's
20 problematic to make calculations like that.

21 Q And we saw previously that there were only five cases
22 overall, correct?

23 A I don't -- I recall there was five in one group and
24 four in the other. I don't recall which was which.

25 Yes, five cases, right, in -- I'm looking now at

1 Table 4 where it indicates five cases in the Camp
2 Lejeune population and four cases of Parkinson's in
3 the Camp Pendleton population.

4 Q So you wouldn't make a representation as precise as
5 you saw in that report that there was a 151 percent
6 increase in Parkinson's disease?

7 A As I said, again, based on the numbers I'm seeing
8 there, I would say the ability to examine dose
9 response relationships was limited by the numbers,
10 but within those bounds they tended to -- the cases
11 tend to be concentrated in the higher exposure
12 subgroup and kind of leave it at that.

13 Q And if you look back at Table 6, do you see the PCE
14 number that was reported at 2.68 has a confidence
15 interval of 0.2 to -- to 33.28?

16 A I do see that, yes.

17 Q And for the TVOC number that was reported 2.52, the
18 confidence interval ratio was 0.21 to 30.83.

19 Do you see that?

20 A I do, yes.

21 Q Those are also very wide confidence intervals, aren't
22 they?

23 A Yes. Extremely.

24 Q And so that would give you less confidence in the
25 precision of that increase, correct?

1 A That's -- right. I mean, the quantitative estimate
2 of the -- of the hazard ratio should be interpreted
3 with the imprecision in mind.

4 You know, again, there's different ways to look
5 at it, but it's -- as I said, I think the effort made
6 sense and they did the best they could with the data
7 they had. They just can't go very far given the
8 small numbers.

9 Q And do you see there where the size of the cohort is
10 indicated at the bottom of the table?

11 A Yes, I do.

12 Q And what is that number?

13 A 4,647.

14 Q So what -- what does that indicate to you when
15 they're indicating what the size of the Camp Lejeune
16 cohort is?

17 A Well, that -- again, that's the source population. I
18 mean, I think for these purposes the main -- the
19 limiting factor is the number of cases that even
20 after all those years, the, you know, Parkinson's
21 disease, of course, is more common in older
22 individuals, and the Camp Lejeune population even
23 I -- you know, I'd have to look at the details of
24 that, but presumably is still a relatively young
25 population.

1 And so that -- I can't say whether that
2 observing, you know, whatever five cases in a total
3 population like that is high or low, but it's -- you
4 know, which is a function, as I said, of the number
5 of people and the duration of follow-up and the age.

6 Q And there -- there were four cases at Camp Pendleton
7 which was considered to be a comparable cohort for
8 comparison, correct?

9 A Right. The intention of making a comparison between
10 the two military groups is with the idea that, you
11 know, that they would be more comparable in other
12 respects.

13 Q Okay. You can put both those exhibits aside.

14 (Deposition Exhibit No. 10, Excerpts of General
15 Causation Expert Report of Steven B. Bird Bladder
16 Cancer, was marked for identification.)

17 BY MR. BAIN:

18 Q I'm showing you what has been marked as
19 Exhibit No. 10. This is identified as General
20 Causation Expert Report of Steven B. Bird Bladder
21 Cancer. This is excerpts of that particular report.

22 Do you see that?

23 A Yes, I do.

24 Q If you turn to Page 40.

25 A Okay.

1 Q And just to turn back to Page 39, I'm going to read a
2 statement that's in reference to the Bove 2024B study
3 Cancer Incidence Among Individuals Exposed to
4 Contaminated Drinking Water at Camp Lejeune.

5 Do you see that?

6 A Yes, I do.

7 Q If you look at Page 40, the last paragraph of that
8 section states, Again, results found that individuals
9 stationed at Camp Lejeune had a higher incidence of
10 bladder cancer. Overall marines and navy personnel
11 showed a relative risk of 1.09, 95 percent confidence
12 interval and 0.95 to 1.24. And civilians showed a
13 relative risk of 1.10, 95 percent confidence interval
14 of 0.91 to 1.50. These findings suggest exposure to
15 the water at Camp Lejeune, particularly during the
16 years 1975 to 1985, increases the risk of bladder
17 cancer.

18 Do you see that?

19 A Yes, I do.

20 Q And those results under the traditional understanding
21 of statistical significance are not statistically
22 significant, are they?

23 A Right. That's correct.

24 Q But those confidence intervals are much more narrow
25 than the ones we saw previously, right?

1 A Yes, that is correct. I mean, these I would --
2 again, the wording of, what does this mean, just
3 based again on this paragraph alone, I would make
4 note of the fact that it was strikingly similar in
5 what I believe are two independent populations, the
6 civilians and the military personnel. It's a very
7 modest magnitude of increase, but with, you know,
8 very good precision.

9 I don't know how much more you can glean other
10 than, you know, without getting into the -- the
11 details, that this is very different. The confidence
12 intervals to me are quite narrow.

13 Q But the strength of association is also very, as you
14 said, modest, right?

15 A That's right. Again, that's all part of what goes
16 into the -- the weighting or the weighing of evidence
17 of how strongly supportive of a positive association
18 is this? And again, it suggests a modest increase in
19 risk but with some reasonable precision.

20 Q And in your book you -- you indicated 1.2 is a modest
21 increase and these are even lower than that, right?

22 A Again, I really tried to avoid these sort of
23 benchmarks or cutpoints, just in absolute terms
24 independent of any sort of effort to dichotomize or
25 benchmark them by -- these are modest associations.

1 1.1 is a very modest association.

2 Q And you recall we discussed the 2024 Cancer Incidence
3 Study which used the benchmark of a relative risk of
4 greater than 1.2 and a confidence interval ratio of
5 less than or equal to 3.

6 Do you recall that?

7 A I recall that algorithm, yes.

8 Q And neither of these findings would meet that
9 benchmark because the relative risks are each below
10 1.2, correct?

11 A Again, quantitatively, that's true. I don't know
12 about what the applicability of that sort of
13 benchmark was and so on, but just in terms of the
14 sheer numbers, these numbers would not be -- would
15 not qualify under that decision ruling.

16 Q Okay. Put that aside.

17 (Deposition Exhibit No. 11, General Causation
18 Expert of Steven B. Bird, M.D., Kidney Cancer, was
19 marked for identification.)

20 BY MR. BAIN:

21 Q Dr. Savitz, I'll show you what has been marked as
22 Exhibit No. 11, General Causation Expert of Steven B.
23 Bird, M.D., Kidney Cancer.

24 Do you see that?

25 A Yes, I do.

1 Q And if you can turn to Page 15.

2 A Okay.

3 Q And do you see there's a section on benzene there?

4 A Yes, I do.

5 Q And if you look about halfway down that section, do
6 you see the paragraph that starts, In 2014?

7 A Yes, I do.

8 Q And that paragraph states, In 2014, Bove 2014A
9 reported on a cohort study of United States Marines
10 and Navy personnel who served during the 1975 to 1985
11 and were stationed at either Camp Lejeune with its
12 contaminated water and Camp Pendleton, which did not
13 have contaminated water, and the citation to the Bove
14 studies in brackets follows that.

15 Then it says, benzene was present at
16 concentrations above the U.S. maximum contaminant
17 levels, MCL. Military personnel in the Camp Lejeune
18 cohort had an elevated mortality for kidney cancer,
19 HR 1.35, 95 percent confidence interval, 0.84 to
20 2.16. Furthermore, a monotonic cumulative exposure
21 trend was observed for kidney cancer and total
22 contaminants. In the supplemental data benzene was
23 associated with increased rates of kidney cancer at
24 low, hazard ratio 1.31, 95 percent confidence
25 interval 0.52 to 3.29 medium. Hazard ratio 1.38,

1 95 percent confidence interval, 0.58 to 3.28, and
2 high hazard ratio 1.36, 95 percent confidence
3 interval 0.57 to 3.25 exposure levels.

4 Do you see that?

5 A Yes, I do.

6 Q Would you agree that none of those increases in
7 mortality from kidney cancer are statistically
8 significant?

9 A That's correct.

10 Q And with respect to the -- the statement, A monotonic
11 cumulative exposure trend was observed for kidney
12 cancer and total contaminants.

13 What is the purpose of referencing, in your
14 understanding, a monotonic cumulative exposure trend?

15 A The -- the interest is in -- when you're examining
16 the -- the exposure response relationship in general,
17 a graded response with higher risk with higher
18 exposure supports -- is more supportive -- well,
19 let's just say is supportive of potential causal
20 effect.

21 MR. BAIN: Okay.

22 (Deposition Exhibit No. 12, Exposure Response
23 Analysis, was marked for identification.)

24 BY MR. BAIN:

25 Q And you can keep that exhibit open. I want to show

1 you Exhibit No. 12.

2 Exhibit No. 12 is the exposure response analysis
3 from the 2014 mortality study that is being
4 referenced in the report that we were looking at.

5 Do you see that it's a supplemental file with the
6 exposure response analyses?

7 A Again, I -- I'm -- I'm accepting your statement that
8 this is the data that he is referring to.

9 Obviously I haven't independently gone --

10 Q Mm-hmm.

11 A -- back and forth to verify that.

12 Q Well, if you look at the kidney cancer, do you see
13 hazard ratio goes from 1.42 in the low exposure
14 column to 1.44 in the medium exposure column and 1.54
15 in the high exposure column?

16 A Yes, I do.

17 Q And does that, in your view, show a monotonic
18 exposure or response trend?

19 A Technically it does in the sense that, you know, the
20 numbers get bigger as you go across. The magnitude
21 of that increase is very little. But as I said,
22 technically and formally, it does.

23 Q And each of those hazard ratios are not statistically
24 significant under a traditional concept of
25 statistical significance, correct?

1 A Again, that is true. It's not necessarily what
2 I would be focusing on, but that it is factually
3 correct.

4 Q In addition to the possibility of random error with
5 each of these separate hazard ratios that are
6 indicated in this table, would you agree that there's
7 a possibility that a monotonic exposure response
8 trend itself is a result of random chance?

9 A Again, there are statistical tools for asking
10 about -- for quantifying the degree of support for a
11 linear trend or for a gradient in risk. And so in
12 principle, random error can -- you know, can mask or
13 create apparent patterns, including dose response
14 trends.

15 Q So that it can show a dose response trend that might
16 not really be there because of random chance and it
17 could also mask such a trend; is that true?

18 A That's right. There may be a true underlying dose
19 response gradient and the study was, you know, had
20 too much imprecision to see that clearly.

21 And so it's -- it's another -- again, random
22 error is just that, it can have -- make random
23 effects on the -- the point estimates and the
24 patterns.

25 Q Given what you see with the apparent dose response

1 trend here with respect to kidney cancer and total
2 volatile organic compounds, would you put much weight
3 on that?

4 A Again, it's technically a monotonic trend, but it's
5 suggesting there's very little -- the key distinction
6 is between no exposure and any exposure more so than
7 between low to medium and medium to high. They're
8 all elevated to -- to some degree.

9 Q Okay. If you turn to Page 3 of the table.

10 And do you see that this is in reference to
11 benzene, the exposure to benzene?

12 Do you see that?

13 A Yes, I do.

14 Q Just a moment. And do you see that these numbers
15 correspond to the numbers that are in Exhibit 11 on
16 Page 15?

17 A Give me a moment. I'm going to have to look. Yes,
18 I see those numbers.

19 Q And you see the confidence intervals that are
20 provided for each of those numbers?

21 A I do.

22 Q And none of those hazard ratios are statistically
23 significant, correct?

24 A That's correct.

25 Q In fact, the lower end of the confidence interval as

1 well, under 1, for each of the hazard ratios, right?

2 A Again, the -- as I've said, I think, in the report,
3 that is true, but it's also true that the upper bound
4 is well over 3 for each of them and there are no --
5 there's -- those values are comparable.

6 In other words, the -- again, within the range
7 that's described there, the plausibility that the
8 true relative risk is .52 is no different than the
9 possibility that it's 3.2.

10 Q Would you consider this to be a monotonic exposure
11 response trend?

12 A Again, technically it's not and it's similar to what
13 I would have said for the other one, that there is --
14 they're all elevated to some degree -- a similar
15 degree, but that there is not a -- a clear gradient
16 of increasing risk across the -- the levels.

17 Q So while the prior one we showed technically was a
18 monotonic exposure response trend because the number
19 was higher for each increment, it was -- the numbers
20 were very close, right, so that made it hard to say
21 that there was something significant there?

22 A I was -- I would probably give the same response on
23 both of those, the one that happened to be just
24 slightly in a positive trend and this one is flat but
25 it's clear that the main -- the most meaningful

1 difference is between no exposure and any exposure
2 across these groups.

3 Q So that would be just reflected by the .31, which is
4 31 percent higher than 1; is that what you're saying?

5 A Right, or if you integrated them together, which
6 I guess is probably done -- maybe done somewhere
7 else --

8 Q Mm-hmm.

9 A -- it would be much more precise and it would zero in
10 on this, you know, around 1.3, 3, 1.35 would be the
11 overall aggregate, but the confidence interval would
12 be much tighter.

13 And so again, I think it's reasonable to look at
14 the possibility of a dose response gradient, it's a
15 reasonable question to ask, but I think that this is
16 showing a pattern of generally-elevated risk in each
17 of the groups of a similar magnitude.

18 Q And those numbers comparing, you know, the unexposed
19 group to the exposed group would be presented in a
20 different place in the report usually, right?

21 A Again, it's not in the tables that you pointed me to.
22 I don't know -- obviously I have not looked at the
23 report, so I -- I don't know -- you know, I would
24 expect it would appear elsewhere but I haven't --
25 I can't verify that.

1 Q And where you see the column that has an N, what --
2 how do you interpret that?

3 A Again, this is back in the table?

4 Q Yes.

5 A Yeah, that's presumably the number of cases.

6 Q Do you have any familiarity with how the dose
7 response analyses were done by the ATSDR for the 2014
8 studies?

9 A I don't know that.

10 Q You don't know the methodology?

11 A No, I don't know how they defined high, medium and
12 low, what the basis was. I'd have to look at the
13 report, obviously, to get that in detail.

14 Q You're familiar with the fact that ATSDR did a water
15 model that produced monthly mean concentrations for
16 different chemicals?

17 A I'm familiar with that -- that effort, yes.

18 Q Do you know if or how that model was used for any
19 dose response analysis that ATSDR did?

20 A I don't know that, no.

21 Q Do you know what the referent group was for either
22 the 2014 dose response analysis or the 2024 dose
23 response analysis that the ATSDR did?

24 A No. Again, I'd have to look at the report to know
25 who the -- the presumably unexposed referent was.

1 Q Would it be appropriate to pick one of these values
2 in this table out in isolation and use it to make an
3 inference on causation?

4 A Well, as I've said, I think the inference about
5 causation comes from looking at the totality of the
6 evidence and so that, you know, with a single study
7 or with a single number, it can contribute and add,
8 you know, support but it -- it would not be something
9 that would in and of itself without any other
10 information support, you know, it -- by -- as I said
11 support on its own a causal inference.

12 Q For example, if a statement were made based on this
13 benzene table that, a medium exposure to benzene
14 between 45 and 110 micrograms per liter months
15 results in a 38 percent increased risk in kidney
16 cancer; would that be a statement you'd make based on
17 this table?

18 A Again, it's a matter of how it's worded. I would say
19 predicts a risk of rather than necessarily results in
20 a risk which at least implicitly suggests it causes
21 it.

22 That's a -- I would try to separate out those
23 inferences, yeah, describing the results and the
24 numbers and what they say and separating that from an
25 assessment of what the numbers mean largely because

1 the latter is going to have to be done in -- in
2 context in a broader way.

3 In other words, the individual studies do not I
4 would say ever, but almost ever stand by themselves
5 as the sole basis for making a causal assessment.

6 Q Your view is that it has to be a holistic analysis of
7 some of the Bradford Hill considerations and others
8 that you deem in your judgment to be appropriate to
9 making that decision?

10 A It's -- it's really the -- each study contributes
11 based -- you know, to the extent that it has -- based
12 on the quality of its methods, and then in looking
13 across the studies, there is a -- a judgment to be
14 made that considers whether -- you know, if there's
15 an observed association, the -- all the evidence that
16 helps you figure out if that association is likely to
17 be causal or not.

18 And that includes the Bradford Hill
19 considerations. But I think there's different ways
20 of answering the same question.

21 Q Okay. You can put those exhibits aside.

22 (Deposition Exhibit No. 13, General Causation
23 Expert Report of Howard Hu, MD, was marked for
24 identification.)
25

1 BY MR. BAIN:

2 Q I'll show you what has been marked as Exhibit 14 --
3 excuse me -- Exhibit 13, General Causation Expert
4 Report of Howard Hu, MD.

5 Do you see that?

6 A Yes, I do.

7 Q And this is not a report you've reviewed before, is
8 it?

9 A That's correct.

10 Q Turn to Page 28. You see at the bottom of -- of that
11 page in the text above the footnotes the sentence
12 starts, Using multivariable Cox models, they found
13 that the association between exposure to PCE and NHO
14 had a hazard ratio of 2.32 among males, 95 percent
15 confidence interval 0.75 to 7.15, comma, five cases,
16 and 2.35, 95 percent confidence interval 0.52 to
17 10.71, two cases.

18 Do you see that?

19 A I -- I do but I'm not clear. I assume that the
20 contrast between males and females but I don't see
21 the referring to what the other -- the 2.35 is -- is
22 referring to.

23 Q Yeah. I think that's left out. That was my thought,
24 as well.

25 A Okay. That would be -- again I can't verify that,

1 but that seems like the way it's written that would
2 be the expectation.

3 Q And this is referring to -- if you turn back to the
4 prior page, the Radican, et al. study of 14,455
5 workers at an aircraft maintenance facility?

6 A Okay. Yes.

7 Q Do you recall that study?

8 A No. I mean, I -- I -- no. I may have seen it. I --
9 I honestly don't recall though.

10 Q Can you recall as you sit here today whether it was
11 one of the studies that the National Academy of
12 Sciences considered in its work?

13 A You know, I don't know that -- that's getting close
14 to our cutpoint, and I would have to look at the
15 report to see the reference list to know for sure
16 whether it's something we were able to incorporate or
17 not.

18 Q Do you recall how the National Academy of Sciences
19 committee that you chaired classified non-Hodgkin's
20 lymphoma with relationship to TCE or PCE?

21 A No, I don't.

22 Q You do recall, don't you, that in -- in that
23 particular work that the National Academy of Sciences
24 did, they didn't put in any diseases in the category
25 of sufficient evidence of causation or sufficient

1 evidence of association?

2 A Again we -- obviously we're basing that on evidence
3 of whatever, 15-plus years ago. And also again
4 everybody who assesses causality has a different sort
5 of benchmark in mind. It was not obviously for any
6 legal purposes.

7 And so at the time, and I -- I don't have any
8 reason to think that it wasn't the right thing to do
9 at the time -- that was the committee's collective
10 judgment about where the evidence stood.

11 I think we did define though and we use standard
12 terminology for what we mean by, you know, sufficient
13 cause -- sufficient evidence, I should say, which
14 means not just an established association, but that
15 random error and bias have been excluded as potential
16 reasons for it. There's a whole -- there's a whole
17 terminology there.

18 And so, again, using that criteria at that time
19 as a collective judgment, that's what that reflects.

20 Q And I think as you just said, the National Academy of
21 Sciences' work wasn't done for any legal purpose?

22 A No. And I think it uses, from my experience at
23 least, a more stringent benchmark in the sense that
24 it's not a more probable than not; it's sufficient
25 and conclusive in a way that again we don't quantify

1 what that number is, but from my experience it's a
2 higher bar.

3 Q And things like more probable or not or as likely as
4 not are typically not used by epidemiologists in --
5 in their written conclusions; is that true?

6 A Yeah, again, I can't say ever; you know, people do
7 different things. But that is not typically done.
8 It's sort of -- again, there's different -- you know,
9 sometimes they make judgments as whether it's
10 sufficient for regulating a chemical or for -- oh,
11 for -- for some of the veterans' work related to
12 compensation.

13 And they use -- usually they're explicit about
14 what the criteria are, but I am not familiar with
15 the -- that sort of a more -- more probable than not
16 outside of legal settings. It may be used somewhere
17 else, but that's where I'm most familiar with it
18 from.

19 Q Are you aware of when scientists do that type of
20 regulatory work to determine, for example,
21 presumptive diseases for veterans that sometimes the
22 standards are less stringent than are used in other
23 contexts?

24 A Again, I'm aware that they vary for -- for various
25 purposes, and I don't -- I don't have a detailed

1 knowledge of the -- the standard, but, you know,
2 when -- when groups making the evaluation are given
3 an assignment, we do take the wording very seriously
4 and we often return to it, committees I've been on
5 and I would expect that others do the same in looking
6 at -- you know, in comparing what's available from
7 the evidence to the benchmark that's been given to us
8 to work with.

9 (Deposition Exhibit No. 14, General Causation
10 Expert Report of Timothy M. Mallon, was marked for
11 identification.)

12 BY MR. BAIN:

13 Q Before we close that, if we can go back to --

14 A Okay.

15 Q -- Page 28, 29.

16 Those -- those point estimates under Traditional
17 Statistical Significance were not statistically
18 significant, were they?

19 A Which ones are you referring to there; the ones at
20 the top of the page?

21 Q Yeah. 2.32 and 2.35.

22 A That is correct.

23 Q And the confidence intervals are -- are quite wide,
24 correct?

25 A Yes. They -- again, fairly substantial elevation in

1 the point estimate with a great deal of imprecision.

2 Q Yeah. That was the one question I asked you that
3 wasn't really covered in your book. A large point
4 estimate that is imprecise.

5 A All combinations exist, and it's -- I don't think --
6 again, I -- I -- both of those facts are -- are
7 worthy of consideration when you're asking what the
8 study contributes.

9 The point estimate has value, even when there's a
10 lot of noise around it. It's your very best guess.
11 It's just that it's, you know, it's not nailing it
12 down quantitatively.

13 Q At it is -- for these particular numbers the author
14 also provided the number of cases?

15 A Right.

16 Q -- which is additional information that's helpful,
17 correct?

18 A Again, for forming an intuitive impression of, you
19 know, would it be a big deal if there was one case
20 more, one case less. You know, it's -- it's a
21 different -- it's a less formal or statistical way to
22 say how -- how -- how certain are we this is the
23 right value.

24 And I at least find it helpful to have both of
25 those in there.

1 Q And with the fewer cases that you have, the less
2 certain you are; is that right?

3 A Of course, that's right. And the less -- the wider
4 the confidence interval will be, the less precise it
5 is.

6 Q Okay. Okay. You can close that for now.

7 A Okay.

8 Q I've handed you what has been marked as No. 14. It's
9 General Causation Expert Report of Timothy M. Mallon.
10 Do you see that?

11 A Yes, I do.

12 Q If you can turn to Page 11.

13 Do you see there's a section on the Bove 2014A,
14 2014B Cancer Mortality Study?

15 A Yes, I do.

16 Q And do you see at the end of that paragraph it says,
17 Monotonic exposure responses were found for Marines
18 for cumulative exposure to total volatile organic
19 compounds, TVOCs, and kidney cancer with risk ratios
20 for high cumulative exposure of 1.54, 95 percent
21 confidence interval 0.63 to 3.75.

22 And there is another figure after that log 10
23 symbol equals 0.06.

24 Do you recognize what that is?

25 Can you describe what that means?

1 A I assume it is some quantification of the gradient.
2 Now, again, as I said, I -- I -- and this is -- this
3 is speculation without, you know, based on what that
4 provides.

5 I assume it's some quantification of linear
6 trend. The data is usually -- that it's the symbol
7 there, log 10 beta. It's the coefficient of a slope.

8 I don't know how -- how that's defined, you know,
9 but that's a way of -- instead of just looking in
10 categories, it's -- it's saying we estimate that for
11 a, you know, a certain increment in exposure the risk
12 will go up by a certain amount, and it's looking at
13 it across the whole spectrum.

14 It's a reasonable approach. It -- it requires a
15 little more information to really fully interpret.

16 Q Okay. So it's looking at -- at the exposure response
17 analysis and doing some type of statistical analysis
18 of it?

19 A That's right. It's modeling what the dose response
20 curve -- the shape of the dose response curve. And
21 it's often used just how linear is it, what is the
22 predicted increment in risk for each unit change in
23 exposure.

24 Q And the last sentence of that paragraph says,
25 Civilian employees were even higher at 4.44

1 95 percent confidence interval 0.52 to 38.19.

2 Do you see that?

3 A I do.

4 Q And neither of those associations are statistically
5 significant, right?

6 A Again, that -- that would be a -- considered a very
7 substantial elevation -- you know, evidence of -- a
8 substantial -- substantially elevated relative risk
9 with a great deal of imprecision.

10 Q And you're referring to the 4.44 number?

11 A That's correct, yes.

12 Q Both of those numbers, 1.54 and 4.44, don't have
13 statistical significance, correct?

14 A That's correct.

15 Q Okay. Turn to Page 35.

16 Do you see -- this is in a section in your report
17 discussing cohort studies if you turn to the prior
18 page. And I want to ask you about the last paragraph
19 of that section discussing the Ruder, et al study
20 2001.

21 Do you see that?

22 A Yes, I do.

23 Q And if you see at the end of that paragraph, it says,
24 The results show that there was an increased risk of
25 death from kidney cancer in the cohort as the SMR was

1 elevated at 1.41, 95 percent confidence interval,
2 0.46 to 3.30 with the analysis was restricted to only
3 those with PCE exposure history the SMR increased to
4 1.73, 59 percent confidence interval, 0.21 to 6.25.

5 Do you see that?

6 A Yes, I do.

7 Q And this Rudder study being done in 2001 would have
8 been one that your committee had access to, right?

9 A Presumably, yes.

10 Q And it was a cohort study of 1,708 dry cleaning
11 workers identified from union records that were
12 exposed to PCE who worked for at least a year before
13 1960, right?

14 A That's correct, yes.

15 Q And you recall that your committee did not -- the
16 committee of which you were chair did not place
17 kidney cancer in either the category of sufficient
18 evidence of causation or sufficient evidence of
19 association?

20 A Again, as I recall, we had a number of suggestive
21 associations, but did not have at that time
22 sufficient information to -- to consider them
23 conclusive.

24 Q So was -- it may have been in the suggestive
25 category?

1 A It -- again, I'd have to look at the list, but
 2 I believe, again, this is recall of something that
 3 I haven't looked at for some time, but I believe
 4 those -- those were in the sudden category.

5 Q We went through that in detail in your last
 6 deposition, as I recall.

7 A I did. I did. I just, I -- you know, a little more
 8 recent but I don't recall it off the top of my head.
 9 I would need to see the report.

10 Q Okay. Those numbers cited there, neither of those
 11 are statistically significant, correct?

12 A That's correct.

13 Q And the confidence intervals are both wide, correct?

14 A Right.

15 Q That's correct?

16 A Yeah, no, that's correct. And again, it's -- as you
 17 go through the litany of -- you know, these findings,
 18 it's just a reminder of -- that it becomes, you know,
 19 again, it's a matter of interpreting the individual
 20 results but also then looking collectively across the
 21 studies and where there's an elevated point estimate,
 22 even if it's imprecise, it's that repetition or the
 23 pattern that one would be looking for.

24 Q Okay. Turn to Page 37.

25 Do you see at the top of that page -- and this is

1 discussing -- I believe this is still discussing
2 case -- or this is discussing case control studies.

3 If you look back to Page 35, the section is on
4 case control studies.

5 A Okay. Yes, I see.

6 Q And at the top of Page 37, there's a reference to the
7 Delahunt Study 1995.

8 Do you see that?

9 A Yes, I do.

10 Q And it states, The authors noted an increase in
11 kidney cancer risk with an elevated odds ratio of
12 1.92, 95 per confidence interval, 0.27 to 13.89.

13 Do you see that?

14 A Yes, I do.

15 Q And this study having been done in 1995 would be one
16 that your committee would have presumably have had
17 access to, right?

18 A I -- again, we certainly would have had access to it
19 and I presume that it was identified and considered.

20 Q And these -- or this point estimate is not
21 statistically significant, is it?

22 A That's correct.

23 Q And the confidence interval is wide, correct?

24 A I would say so, yes.

25 Q Okay. Done with that one.

1 MR. JOHNSON: Can I put a bid in for the DOJ
2 paper contract in this case?

3 MR. BAIN: You should have been at the Bird
4 deposition. This is a tiny bit.

5 MR. JOHNSON: I can only imagine.

6 MR. BAIN: We're getting toward the end of the
7 exhibits.

8 (Deposition Exhibit No. 15, General Causation
9 Expert Report of Timothy M. Mallon on Leukemia, was
10 marked for identification.)

11 BY MR. BAIN:

12 Q I'm showing you what has been marked as Exhibit 15.
13 This is the General Causation Expert Report of
14 Timothy M. Mallon on Leukemia.

15 A Yes, I do.

16 Q And if you turn to Page 21 -- first of all, turn back
17 to 20 to see what this section is.

18 Do you see there's a section -- and this is all
19 under the broader section of benzene and leukemia.

20 Do you see that?

21 A Yes, I do.

22 Q And under the subsection is Systematic Reviews and
23 Metaanalyses.

24 Do you see that?

25 A Yes, I do.

1 Q If you turn to Page 21, do you see there's a
2 reference to Savitz, et al, 1997?

3 A Yes, I do.

4 Q And I assume you are the same Savitz as that is
5 referring to?

6 A That is correct.

7 Q And do you recall that meta analysis or systematic
8 review that was done to assess the association
9 between benzene exposure and lymphatic and
10 Hematopoietic cancers?

11 A I recall it generally. This was so long ago, to be
12 honest, I don't think we even used the term
13 "systematic review," but I recall what we did and
14 generally what we found.

15 Q And do you see where it states toward the bottom of
16 that paragraph, An exposure response analysis noted
17 that the highest exposed individuals with 720 PPM
18 months had the greatest risk of leukemia with a risk
19 ratio of 2.8, 95 percent confidence interval, 0.6 to
20 8.1.

21 Do you see that?

22 A Yes.

23 Q And do you recall whether you and your coauthors
24 considered that finding to be significant?

25 A You know, what I do recall, and I think it's there in

1 the lessens, we were asking a fairly narrow of
2 question of the literature at the time that benzene
3 had been acknowledged pretty universally as a cause
4 of acute of acute myeloid leukemia, but there was a
5 perception that it only caused acute myeloid
6 leukemia.

7 And so again, as I recall, we -- we simply
8 stratified the outcomes into AML versus any other
9 kind of leukemia, we didn't even try to subdivide
10 them, and found that the -- based on the
11 epidemiologic evidence that the magnitudes were
12 similar.

13 Now, these were rare diseases, again the
14 literature has evolved quite a bit in the last, what,
15 30 years, but there was really kind of a narrow
16 question. I would hesitate to call it a -- sort of a
17 comprehensive review in meta analysis; it was really
18 asking about one question, the specificity of the
19 Benzene/AML association.

20 Q This particular finding that was pulled out of 2.8,
21 95 percent confidence interval, .6 to 8.1, that
22 finding was not statistically significant, correct?

23 A Again, I -- I'd have -- it was not -- if it's quoted
24 correctly, and I have no reason to doubt that it is,
25 that would not be statistically significant.

1 Q And the confidence interval is fairly wide, correct?

2 A Yes, it is.

3 Q And can you recall as you sit here today what
4 significance you placed on that particular finding in
5 your -- in your review?

6 A I think -- you know, again, I -- as I recall --
7 I honestly don't -- I should just say, I don't recall
8 the interpretation of that, other than the -- the
9 evidence that any benzene effects were -- were
10 specific to AML, the data were not supportive of that
11 where you would have expected to see a clear
12 association with AML and an absence of association
13 with other leukemias, and that was not what we saw.

14 And, again, it doesn't validate the study, but
15 over subsequent years there's been increasing
16 evidence that benzene does cause diseases other than
17 AML.

18 Q Okay. You can put that one aside.

19 A Okay. At some point -- you know, it's up to you how
20 you want to do the scheduling, but at some point in
21 the not distant future, a break would be good.

22 MR. BAIN: Okay. Let's go off the record for
23 just a minute.

24 VIDEOGRAPHER: We're going off record at 11:59.

25 (Whereupon a recess was held at 11:59 a.m., and

1 resumed at 11:59 a.m.)

2 Deposition Exhibit No. 16, General Causation
3 Report of Lukasz Gondek, Leukemia, was identified for
4 the record.)

5 VIDEOGRAPHER: Going back on record at 11:59.

6 BY MR. BAIN:

7 Q Dr. Savitz, I'm showing you what has been marked as
8 Exhibit 16.

9 You see it's a General Causation Report of Lukasz
10 Gondek, Leukemia?

11 A Yes, I see that.

12 Q Turn to page -- actually -- okay.

13 Turn to Page 16.

14 A Okay.

15 Q Do you see that there is a section, and this is
16 discussing, if you look back at the prior page,
17 epidemiological studies?

18 A Yes.

19 Q This is discussing in Subsection C, a study of
20 Norweigan off shell -- offshore oil industry
21 workers?

22 A Okay.

23 Q And this study was done in 2015. So your committee
24 would not have had access to this study, right?

25 A That's correct, yes.

1 Q And if you look toward the end, do you see where it
2 says, The hazard ratio HR of AML for exposed versus
3 never exposed to benzene was 2.18, confidence
4 interval 0.41 to 10.00?

5 A Yes.

6 Q And the risk estimate was substantially higher in the
7 highest tertile of cumulative exposure, 0.124 to
8 0.948 PPM years compared with the lowest tertile,
9 less than 0.001 to 0.037 PPM years, with a hazard
10 ratio of 4.85, confidence interval 0.88 to 27.88.

11 Do you see that?

12 A Yes, I do.

13 Q And those two associations, 2.18 and 4.85, are not
14 statistically significant, are they?

15 A That's correct.

16 Q And the confidence intervals are very wide, correct?

17 A That is correct.

18 Q Would you be comfortable relying on those findings to
19 support an inference of causation?

20 A Again, I've said that if I was making an overall
21 assessment of causation, I would consider this study
22 to provide some supportive evidence based on the
23 overall aggregate result of, let's say, 2.2 and the
24 tendency for higher exposures to be associated with
25 higher risk.

1 I'd have to look at the study to know what the
2 last sentence indicates with -- now we're getting
3 into a range where I recognize it's -- P is
4 technically greater than .05 but just barely.

5 To me that is adding some -- I'd have to
6 reconcile all those numbers, but it certainly is
7 providing some support for accumulative exposure of
8 benzene being related to AML.

9 Again, the weight of that, how that fits in with
10 other studies and so on, I can't tell you in
11 isolation, but I would not dismiss that as an
12 uninformative study or certain not as a -- a
13 nonsupportive study. It adds some weight to me in a
14 positive direction.

15 Q Okay.

16 (Deposition Exhibit No. 17, General Causation
17 Expert Report of Dean W. Felsher, Leukemia
18 Non-Hodgkin's Lymphoma, was marked for
19 identification.)

20 BY MR. BAIN:

21 Q This will be last one before lunch.

22 A Okay.

23 Q I'm showing you what has been marked as Exhibit 17,
24 General Causation Expert Report of Dean W. Felsher,
25 Leukemia Non-Hodgkin's Lymphoma.

1 Do you see that?

2 A Yes, I do.

3 Q Can you turn to Page 22?

4 And if you look back at the prior page, Page 21,
5 you see this is a section on PCE and hematopoietic
6 malignancy.

7 Do you see that?

8 A Yes, I do.

9 Q And I want to direct your attention to Page 22, the
10 first full paragraph in which there's a reference to
11 the case control studies of Morton and Mannetje,
12 I think that is.

13 Do you see those?

14 A Yes, I do.

15 Q And do you see where it referenced the -- the two
16 case control studies observed slightly elevated risks
17 for CLL, slash, SLL, with Morton reporting an OR of
18 1.10, 95 percent confidence interval, 0.15 to 8.12
19 for ALL.

20 Do you see that?

21 A I do. I don't know if it's going to matter, but I'm
22 not sure what SLL is.

23 Q Okay. It may be -- well, I don't want to speculate,
24 but since it discusses ALL in the next phrase it may
25 be a typo.

1 A That was my guess, as well, but I don't know that for
2 sure.

3 Q Well, with respect to the particular point estimate
4 for ALL of 1.10 with a confidence interval of 0.15 to
5 8.12, first of all, that's not statistically
6 significant, right?

7 A That's correct.

8 Q And the point estimate is modest at most with a very
9 wide confidence interval; would you agree?

10 A Yes, but in terms of, you know, how informative it
11 is, it's kind of odd to do a pooled estimate with
12 only two studies. That's -- in other words, I think
13 that's what he's -- he or she -- I guess he, is
14 describing.

15 Oh, no, I guess that's separate. That's just for
16 Morton. Okay. I'm sorry, I misstated that.

17 Q So that's just referring to the separate Morton case
18 control study apparently?

19 A That's my understanding, yes, you're right.

20 Q So the point estimate is -- is modest, correct?

21 A That's correct.

22 Q And the confidence interval is wide, right?

23 A That's correct.

24 Q And that would provide little support for an
25 inference of causation; would you agree?

1 A Again, we'll talk about association, but I would
2 agree that it provides very little support for an
3 association.

4 Q Okay. For even an association, right?

5 A That's right. I mean, again as I said, we've got to
6 separate out -- the causal effect is something that
7 would require a -- a more, you know, comprehensive
8 assessment and judgment.

9 Q And then you can see on that page it goes on to
10 discuss in Section E Water Contamination Studies.

11 Do you see that?

12 A Yes, I do.

13 Q And if you turn to the next page, do you see in bold
14 the Aschengrau study?

15 A Yes, I do.

16 Q And we -- you recall we referenced that study earlier
17 in your deposition?

18 A Yes.

19 Q And that's a study that your committee at the
20 National Academy of Sciences had access to and
21 considered, right?

22 A I believe so, yes.

23 Q And at the end of that paragraph -- the first
24 paragraph discussing Aschengrau study, do you see
25 where it says, After adjusting for confounding

1 factors, the relative risks rose to 1.96, 95 percent
2 confidence interval 0.71 to 5.37 for all exposed
3 individuals and 5.84, 95 percent confidence interval,
4 1.37 to 24.91 for those with highest cumulative
5 exposure.

6 Do you see that?

7 A Yes, I do.

8 Q And those point estimates -- either of those point
9 estimates were statistically significant, correct?

10 A I'm sorry, the 5.84 apparently is.

11 Q Okay. Excuse me. I didn't mean to --

12 A No, no, that's --

13 Q -- to do that.

14 A Again, and, you know, we've been going through how I
15 would characterize it; that is a notably elevated
16 magnitude of risk. And even though in this case
17 there is a great deal of imprecision, it's covering a
18 pretty high range.

19 It's -- you know, as I've -- as I've said I
20 don't -- I'm not saying the exact right value is 5.8,
21 but it's -- you know, you could say it's probably
22 somewhere between, you know, I don't know, 3 and 15
23 or whatever. You -- you would not be out of -- out
24 of line to assume that it's something that would --
25 you know, giving pretty good evidence of a

1 substantial elevation.

2 MR. BAIN: Okay. We can break now.

3 THE WITNESS: Okay.

4 (Colloquy off the record.)

5 VIDEOGRAPHER: Going off the record at 12:08.

6 (Whereupon a recess was held at 12:08 p.m., and
7 the deposition was resumed at 12:53 p.m.)

8 (Deposition Exhibit No. 18, Excerpt of the
9 Evaluation of Cancer Incidence Among Marines and Navy
10 Personnel and Civilian Workers Exposed to
11 Contaminated Drinking Water At USMC Base Camp Lejeune
12 a Cohort Study, was marked for identification.)

13 VIDEOGRAPHER: We're now going back on the
14 record. The time is 12:53.

15 BY MR. BAIN:

16 Q Okay. Dr. Savitz, we're back from lunch now.

17 You understand you're still under oath?

18 A Yes, I do.

19 Q I'll show you what's been marked as Exhibit 18. And
20 this is an excerpt of the Evaluation of Cancer
21 Incidence Among Marines and Navy Personnel and
22 Civilian Workers Exposed to Contaminated Drinking
23 Water At USMC Base Camp Lejeune a Cohort Study. This
24 is the preprint version of the cancer incidence
25 study.

1 Do you see that?

2 A Yes, I do.

3 Q I'd like you to turn to Table 2. It's about mid part
4 of the exhibit.

5 A Okay.

6 Q In Table 2 is the Standard Incidence Rates and
7 Poisson Regression Results for the Marine/Navy
8 Personnel Subgrade?

9 Do you see that?

10 A Yes, I do.

11 Q And for urinary bladder cancer the standard incidence
12 rate for Camp Lejeune is .90.

13 Do you see that?

14 A Yes, I do.

15 Q So that result shows that adjusted for sex, race and
16 age there are 10 percent fewer bladder cancers in the
17 Camp Lejeune cohort than for the general population,
18 correct?

19 A I -- I -- they don't list what they adjusted for.
20 Oh, here it is on the next page.

21 Q Mm-hmm.

22 A Sex, race and five-year age groups. Yeah, that would
23 be correct, what you just said.

24 Q So just so the record is clear, it would show a
25 10 percent fewer incidence of bladder cancer in the

1 Camp Lejeune cohort than for the general population
2 adjusted for sex, race and age, correct?

3 A That's right, yes.

4 Q And the confidence interval is -- it's narrow, isn't
5 it?

6 A Yes, it's based, as you see, on a large number of
7 cases.

8 Q And, in fact, the decrease is statistically
9 significant because the upper end is less than 1,
10 correct?

11 A Again, it's not what I would find to be helpful and
12 important, but by conventional measures, yes,
13 anything that excludes 1.0 would be defined as
14 statistically significant.

15 Q And that result does not reflect or support an
16 association between exposure to contaminants at Camp
17 Lejeune and bladder cancer; would you agree with
18 that?

19 A Well, again, this is where the -- I'm trying to think
20 of a simple way to get into this.

21 The -- the judgment is -- this is comparing one
22 population to -- you know, a -- a military population
23 to the US population and observing, as you said
24 correctly, that they have a somewhat lower risk.

25 The question always though is, is that a -- is

1 that a valid comparison. Let's -- let's take the
2 issue of water contamination and so on out of the
3 equation. You know, there's a judgment of whether
4 the military population, its baseline risk may be
5 different than the US.

6 What you'd like in an ideal world, of course,
7 when you're interested in exposure is compare the
8 same people had they been exposed or unexposed.

9 This is a little different in it's comparing a
10 military population to the US population; but
11 nonetheless, it does not in and of itself indicate
12 increase in risk relative to the US population.

13 Q Okay. And if you look at the next row, do you see
14 kidney and renal pelvis cancer?

15 A Yes, I do.

16 Q And the standard incidence rate of the Camp Lejeune
17 cohort is 1.03.

18 Do you see that?

19 A That's correct, yes.

20 Q So that result would show that adjusted for sex, race
21 and age there are 3 percent more kidney and renal
22 pelvis cancers in the Camp Lejeune cohort than in the
23 general population?

24 A Again, right, taking those numbers at face value,
25 that's what the SIR of 1.03 would indicate.

1 Q And the confidence interval is narrow, correct?

2 A Yes, again based on a large number of cases.

3 Q But given that the lower end of the confidence
4 interval is less than 1 under traditional
5 understanding of statistical significance, that
6 result or that increased risk is not statistically
7 significant, correct?

8 A That is correct.

9 Q Would you agree that that result in and of itself
10 does not reflect a strong association between
11 exposure to contaminants at Camp Lejeune and kidney
12 and renal pelvis cancer?

13 A Again, you know, taking in isolation, this is saying
14 that the incidence rate is similar in the Camp
15 Lejeune population and the US population again as an
16 isolated finding. I wouldn't draw broader inferences
17 about it, but that -- that is what the 1.03 would
18 indicate.

19 Q And if you see the NHL for non-Hodgkin's lymphoma
20 row; do you see that?

21 A Yes, I do.

22 Q The standard incidence rate for the Camp Lejeune
23 cohort is 0.86?

24 Do you see that?

25 A Yes, I do.

1 Q So that result would show that adjusted for sex, race
2 and age there are 14 percent fewer non-Hodgkin's
3 lymphoma cases in the Camp Lejeune cohort than in the
4 general population, right?

5 A Again the -- taking the number exactly at face value,
6 that's what the .86 would signify.

7 Q And given that the upper end of the confidence
8 interval is less than 1, that result is statistically
9 significant, correct?

10 A As conventionally defined, that is correct.

11 Q That result in and of itself would not support an
12 association between exposure to contaminants at Camp
13 Lejeune and non-Hodgkin's lymphoma, correct?

14 A It would not add positive evidence. Again without a
15 lot of other information, I don't know to what extent
16 it would tend to, you know, be -- be a meaningful
17 indication of a lack of an affect. Again, that
18 requires knowing more about the study.

19 As it is though, it does not indicate an
20 increased risk.

21 Q And then finally for leukemias, do you see that row?

22 A Yes, I do.

23 Q And do you see that the standard incidence rate for
24 the Camp Lejeune cohort is .87?

25 A Yes, I do.

1 Q So that result would show that adjusted for sex, race
2 and age, there are 13 percent fewer leukemias in the
3 Camp Lejeune cohort than in the general population?

4 A That would be correct, yes.

5 Q And the confidence interval there is narrow, correct?

6 A Yes.

7 Q And given that the upper end of the confidence
8 interval is less than 1, that result is statistically
9 significant?

10 A Again, that is the -- by the conventional way that's
11 defined, that is correct.

12 Q And in and of itself that result does not reflect an
13 association between exposure to contaminants at
14 Camp Lejeune and leukemias?

15 A Taken in isolation, it does not indicate an increased
16 risk.

17 Q So let's look over at the third column of this chart,
18 which is the relative risk of Camp Lejeune versus
19 Camp Pendleton.

20 Do you see that?

21 A Yes, I do.

22 Q And so in this particular instance there's a
23 comparison between two cohorts of military or people
24 who were on military bases; is that your
25 understanding?

1 A That is my understanding, yes.

2 Q And the assumption in making this comparison is that
3 the people who were at Camp Lejeune had an exposure
4 that was different from the people who were at Camp
5 Pendleton?

6 A Right. I mean, the intent was to find a
7 comparable -- a population that's comparable in other
8 ways aside from the chemical exposure as a referent
9 or comparison group for the Camp Lejeune population.

10 Q If you look at urinary bladder cancer, the relative
11 risk in comparing Camp Lejeune to Camp Pendleton is
12 1.08.

13 Do you see that?

14 A Yes.

15 Q And that's less than 1.1, correct?

16 A That is true.

17 Q And you would not consider that to be a strong
18 association, would you?

19 A Again, by absolute magnitude of effect, no.

20 It's a -- you know, again, it's got very good
21 precision and I would say it suggests a small
22 increase in Camp Lejeune relative to Camp Pendleton.

23 Q The lower end of the confidence interval is .98,
24 correct?

25 A That's correct, yes.

1 Q So under traditional understanding of statistical
2 significance, that result of an increased risk is not
3 statistically significant, correct?

4 A That's correct.

5 Q The next row, do you see for kidney and renal pelvis
6 cancer, the relative risk in comparing Camp Lejeune
7 to Camp Pendleton is 1.08?

8 A Yes, I see that.

9 Q And that's not above 1.1, is it?

10 A That is not.

11 Q You would not consider that to be a strong
12 association, would you?

13 A Again, it's a small magnitude association that is
14 with good precision.

15 Q The lower end of the confidence interval is .99,
16 right?

17 A That's correct.

18 Q So under a traditional understanding of statistical
19 significance, that result is not statistically
20 significant?

21 A Again, it's a -- that's correct, and it's a good
22 illustration of how arbitrary that is that if it was
23 1.01 those who value that as a criterion would
24 conclude differently, but this is just barely below
25 1.0, so under the formal definition, you know,

1 arbitrary cutpoint, it is not statistically
2 significant.

3 Q Looking at NHL, the relative risk in comparing Camp
4 Lejeune to Camp Pendleton is 1.05.

5 Do you see that?

6 A Yes, I do.

7 Q And that is not a strong association, is it?

8 A Right, it's essentially no association.

9 Q Take a look at leukemias. The relative risk in
10 comparing Camp Lejeune to Camp Pendleton is 1.09,
11 right?

12 A I believe 1.08?

13 Q Oh, thank you.

14 A That's correct at 1.08.

15 Q And that reflects eight percent greater risk for Camp
16 Lejeune -- or 8 percent more incidence of leukemias
17 at Camp Lejeune than at Camp Pendleton; is that
18 right?

19 A That's correct, yes.

20 Q And that result is not above 1.1, is it?

21 A That's right.

22 Q Would you agree that that's not a strong association?

23 A Right. Again, it's a small association.

24 Q And the lower end the confidence interval is .96,
25 correct?

1 A That's correct.

2 Q So as we've discussed, that result is not
3 statistically significant under the traditional
4 application of that?

5 A That's correct.

6 Q Okay. You can put that aside.

7 A Okay.

8 Q I want to turn back to your book which is Exhibit 4.

9 As we saw earlier, risk ratios and confidence
10 interval are also considered in dose response
11 analyses, correct?

12 A That's correct.

13 Q And if you turn to Page 76 of your book...

14 A Okay.

15 Q Do you see a section there entitled, Evidence of a
16 dose response gradient?

17 A Yes, I do.

18 Q And, again, that's under your overall section,
19 Commonly Used Arguments in Support of a Judgment of
20 Causality, correct?

21 A That's correct yes.

22 Q You state in the first sentence of that section on
23 evidence of a dose response gradient that, Beyond
24 presenting the statistical results from evaluating a
25 dichotomy of exposure, present, slash, absent,

1 higher, slash, lower, there are often opportunities
2 to study a spectrum of exposure across multiple
3 levels, e.g., none, low, medium, high.

4 Do you see that?

5 A Yes, I do.

6 Q And is that correct?

7 A Yes, it is.

8 Q And then you go on to say that, When exposure can be
9 subdivided this way with more than two levels ordered
10 from low to high, we can see whether there is a
11 stepwise increase in risk across those levels,
12 correct?

13 A Yes, that's correct.

14 Q And then you go on to say, Our confidence in an
15 association being present is supported when stepwise
16 increases in exposure are associated with stepwise
17 increases in risk of disease, right?

18 A Yes.

19 Q And why is that?

20 A The -- again, the reasoning is simply that if the
21 agent is harmful, more of the agent should be more
22 harmful. Again, I also give, later on, reasons that
23 may not be the case, but it is sort of at least a
24 starting point for looking at the issue of whether
25 there's a graded response.

1 Q You state further down that, If we find that the
2 relative risks using the comparison group of no
3 exposure is 1.2 for the lower exposure group, 1.5 for
4 the medium exposure group, and 2.0 for the high
5 exposure group, this would strengthen the evidence
6 that an association is present; is that correct?

7 A Yes, it is.

8 Q By the same logic, if you don't see a stepwise
9 increase in exposure that are associated with a
10 stepwise increase in disease, the dose response
11 analysis does not support the conclusion that an
12 association is present; is that true?

13 A It -- it doesn't -- it doesn't add evidence to
14 support it, but as -- as discussed in the next
15 paragraph, it doesn't negate the possibility either.

16 It's one of those things when it's present, yes,
17 it is positive and supportive. When it's not, there
18 are multiple possible ways that a real cause may
19 still not show up in that graded response that are
20 indicated there.

21 Q So if you do a dose response analysis and there isn't
22 this stepwise increase, then you couldn't cite the
23 dose response analysis to support an inference of
24 causation, right?

25 A It would not be odd adding, as I said, positively to

1 that argument. It requires -- it's a useful exercise
2 to look. It's -- regardless of what you find, and --
3 but its absence should not be in -- you know,
4 interpreted as strong evidence against there being an
5 effect.

6 There really are these thresholds or ceiling
7 effects and so on that have been documented where
8 reasons that, across a certain range, more is not
9 necessarily worse; but you have to -- but it does --
10 it does point you towards giving a closer
11 consideration when -- when the sort of default-graded
12 response is not observed.

13 MR. BAIN: Can you -- can you read back that last
14 part of the answer?

15 (Reporter read back requested testimony.)

16 BY MR. BAIN:

17 Q So dose responses is one of the Bradford Hill
18 considerations, right, in inferring causality?

19 A It's one of the factors that he lists, yes.

20 Q And if you do not have a dose response then that
21 consideration does not weigh in favor of finding
22 causality, correct?

23 A I'm just trying to think about it. It is not -- when
24 it is present, it is supportive; when it is absent,
25 it may be indeterminate. But I agree that if it's

1 present, it's supportive and if it's not present,
2 it's not supportive.

3 Q If you look on Page 82, and there you have a section
4 called absence of dose-response gradient, correct?

5 A That's correct.

6 Q And the -- it looks like the third sentence of that
7 section says, But just as a dose response gradient
8 supports a causal effect, the absence of such a
9 gradient calls it into question.

10 Is that correct?

11 A That is -- yeah, that is what I said, yes.

12 Q So the absence of a dose response effect calls into
13 question -- excuse me.

14 The absence of a dose response gradient calls
15 into question there being a causal effect; isn't that
16 correct?

17 A Again, I probably could have phrased that better.

18 It raises questions; it does not support a causal
19 effect, but when you don't see that kind of a
20 pattern, there are -- again, the caveats are that you
21 may not have measured exposure well, there may be
22 other factors acting there.

23 But based as an isolated observation when you have
24 meaningful gradients in exposure and there is no
25 corresponding gradient in risk, it would argue

1 against there being a positive -- a causal effect.
2 That's -- that's correct. And that's consistent with
3 the Hill consideration of dose response.

4 Q Okay. Go back to Page 76.

5 In that section on evidence of a dose response
6 gradient, the last sentence that you have there in
7 that first paragraph says, The potential for random
8 error to result in the appearance of an association
9 based on a dichotomy is considerably reduced as a
10 cause for observing a dose response gradient.

11 Do you see that?

12 A Yes, I do.

13 Q Can you elaborate on what that means?

14 A Sure. That -- that when you're dichotomizing
15 results, just higher and lower exposure, there is --
16 it's generating a single number that -- you know, the
17 relative risk, let's say, and that depending on
18 the -- the numbers of cases, the precision of that
19 may be very limited.

20 If you're looking instead at none, low, medium,
21 high, even with the same volume of data, there can be
22 more statistical precision in asking is there a
23 linear affect across those levels.

24 In fact, that may be an illustration. I can't
25 remember the exact article that we were looking at.

1 But where there was a great deal of imprecision in
2 the individual estimates and yet the P value for a
3 trend was something like .1 or .11. And it was sort
4 of discordant because it was looking a lot more
5 precise than any one individual estimate. And it's
6 because it's integrating across the whole range that
7 way.

8 Q So then would you agree that examining multiple
9 levels of exposure is better than examining a
10 dichotomy of no exposure versus exposure or low
11 exposure versus high exposure?

12 A I think there's -- there's value in doing, you know,
13 in doing both. You know, there's the assumption when
14 you put people into groups, you know, let's say, low
15 and high, that everybody in the low group is the same
16 and everybody in the high group is the same.

17 Well, there may be very low and somewhat low, and
18 there may be somewhat high to very high. And if
19 you're able to look across all those groups, then,
20 yes, it can be informative. But it's a tradeoff of
21 how much precision, how big the numbers are and so
22 on.

23 There often is value in looking at -- looking at
24 things both ways, with a dichotomy and with a -- a
25 range of exposure.

1 Q When you have a range of exposures though, don't you
2 reduce the chance that seeing a trend is a result of
3 chance because when you have two, there might be a
4 greater opportunity that one is higher than another
5 based on chance alone?

6 A Again, if there truly is a graded response and that's
7 what you look for, you'll -- you'll have a better
8 chance of detecting it. It will be more -- if -- if
9 in reality, you know, that's of course unknown,
10 higher exposures lead to higher risk, you may or may
11 not be able to capture that in a dichotomy but you
12 would likely capture it in a graded response.

13 And in terms of the degree of random error,
14 it's -- you know, it's certainly with a single
15 estimate of one, you know, risk ratio relative risk
16 calculation that may bounce around a bit, but
17 intuitively if you're looking at three or four levels
18 and you're seeing this pattern, even if each is
19 noisy, the pattern can emerge more clearly.

20 Q Okay. And let me go back to Page 82 where I directed
21 you to before regarding the absence of a dose
22 response gradient.

23 A Yes.

24 Q And I should, you know, for clarity in the record
25 state that this is under your section on Commonly

1 Used Arguments In Opposition to a Causal Judgment --

2 A Right.

3 Q -- correct?

4 A That's correct, yes.

5 Q And we -- we talked about the sentence, but just as a
6 dose response gradient supports a causal effect, the
7 absence of such a gradient calls it into question,
8 right?

9 A That's correct.

10 Q And then below that you say -- and you're talking
11 about, whereas a dichotomy high versus low exposure
12 may produce a positive association, examining
13 multiple levels of exposure sometimes reveals an
14 uneven and thus far less compelling pattern.

15 Do you see that?

16 A Yes, I do.

17 Q And then you end that paragraph saying, For example,
18 when intermediate exposures appear to be more
19 strongly associated with the health outcome than high
20 exposures, there is reason to question whether the
21 results are supportive of a causal effect, since it
22 seems unlikely that a little bit of exposure is
23 harmful but a lot of exposure is not.

24 Correct?

25 A That's correct.

1 Q And can you elaborate on that?

2 A Sure. It's -- yeah, we're trying to -- again, this
3 is the -- any -- any inferences about causality or
4 just that. They're inferences based on the data.

5 And when the -- when there is an opportunity to
6 look at multiple exposure levels and there's a
7 clear -- when it's clearly not monotonic, when it
8 goes up, let's say, in the -- maybe it's highest in
9 the low exposure group and lower in the medium and
10 high.

11 It's hard to argue that that supports a causal
12 inference because we would normally expect, unless
13 there's some compelling reason to think otherwise,
14 that if a little bit is bad more is going to be
15 worse.

16 It's sort of a -- again, it's not that there
17 aren't situations where that's untrue, but sort of as
18 a sort of default baseline intuitive expectation,
19 more is worse is usually a safe starting point.

20 Q Okay. Do you have Exhibit 18?

21 A Yes, I do.

22 Q Okay. If you take a look behind the table that we
23 discussed earlier there's a Table 6.

24 Do you see that entitled, Duration Stationed at
25 Camp Lejeune Camp Pendleton as a reference marines

1 navy personnel subgroup.

2 Do you see that?

3 A Yes, I do.

4 Q And does it appear to you that this reflects a dose
5 response analysis looking at risk ratios for low
6 duration, medium duration, medium/high duration and
7 high duration?

8 A Right. The duration is the -- if you will, being
9 used as the indicator of -- of dose.

10 Q Okay. If you turn to the second page, do you see
11 urinary bladder?

12 A Yes, I do.

13 Q And would you agree that for urinary bladder there is
14 not a monotonic dose response relationship?

15 A That's -- that's correct. It -- it is fairly stable
16 for low, medium, slash, high and high fairly
17 consistent with a somewhat lower -- with a lower
18 estimate for the medium duration. So it doesn't
19 follow a graded response in that sense.

20 Q And if you look to kidney and renal pelvis cancer; do
21 you see that?

22 A Yes, I do.

23 Q And there is not a monotonic dose response
24 relationship for kidney and renal pelvis cancer, is
25 there?

1 A No, there is not.

2 Q In fact, it's an inverse relationship, right?

3 A That is the -- again, that's the pattern. There are
4 ways to look at that more formally, other than just
5 visually, you know, eyeballing it, if you will. But
6 it -- the overall pattern it shows does go from
7 higher to lower values with increasing duration.

8 Q And then do you see non-Hodgkin's lymphoma about
9 two-thirds of the way down?

10 A Yes.

11 Q And non-Hodgkin's lymphoma does not show a monotonic
12 dose relationship, does it?

13 A That's correct.

14 Q So would you agree that the absence of monotonic dose
15 response relationships here calls into question there
16 being a causal effect?

17 A Again, it's a back -- I would narrow that. It's one
18 study, one set of results, and I don't even really,
19 you know, fully know all the context of it. It does
20 not add positive support.

21 What I can't say without knowing a bit more is
22 whether it really is an evidence against there being
23 an association. That -- you know, I'd need to know
24 more about how accurate is the exposure estimation
25 and how different are the groups really.

1 That's getting into the details of whether it's
2 a -- a really good test of higher exposure versus
3 lower exposure, which I don't know.

4 Q But you can -- you can say based on what you see here
5 that these numbers don't add positive support for
6 causation.

7 A The gradient does not suggest that. That -- again,
8 just in isolation. That's all I can do is look at it
9 one piece at a time given, you know, I haven't looked
10 at the whole set of results. But those isolated
11 results in that table do not add evidence of a
12 positive relationship.

13 Q Look to the next page.

14 Do you see leukemia?

15 A Yes, I do.

16 Q And for leukemias generally as a group, there's not
17 a -- not a monotonic dose response relationship, is
18 there?

19 A Let me look. That is correct.

20 Q And do you see that the different subtypes of
21 leukemia are broken out underneath that?

22 A Yes, I do.

23 Q And none of these subtypes of leukemia show a
24 monotonic dose response relationship either, do they?

25 A No, they do not.

1 Q So would you agree that these analyses do not add
2 support to a finding of causation?

3 A Again, in isolation this pattern across duration
4 groups does not add positive support.

5 Q But again you would have to know more to determine
6 whether it calls causation into question.

7 A Right. I mean, it's -- you know, it's predicated on
8 again there being accurate assignment of exposure and
9 that there are meaningful differences across the
10 groups that are -- across the exposure groups. And
11 that I don't know.

12 (Deposition Exhibit No. 19, Evaluation of
13 Mortality Among Marines/Navy Personnel and Civilian
14 Workers Exposed to Contaminated Drinking Water at
15 USMC Base Camp Lejeune: A Cohort Study, was marked
16 for identification.)

17 BY MR. BAIN:

18 Q Okay. I'm showing you what has been marked as
19 Exhibit 19.

20 Do you see this as the Evaluation of Mortality
21 Among Marines/Navy Personnel and Civilian Workers
22 Exposed to Contaminated Drinking Water at USMC Base
23 Camp Lejeune: A Cohort Study?

24 A Yes, I do.

25 Q And you see it's dated 2024?

1 A Yes, I see that.

2 Q Do you recall having reviewed this study before?

3 A I do not.

4 Q And do you see where the -- this study in the
5 abstract, as with the cancer incidence study we
6 looked at earlier, that ATSDR used a benchmark of
7 adjusted hazard ratios of greater than or equal to
8 1.20 with confidence interval ratios of less than or
9 equal to 1 to identify certain diseases for callout
10 in this abstract?

11 A Yeah, again, I think you said confidence interval
12 ratio less than -- you mean less than or equal to 3.

13 Q Yes.

14 A Yes, that's correct. That's what they say in the
15 results abstract that that was the -- what they
16 decided to highlight in the results.

17 Q Okay. If you look at Table 2, which is on Page 6.

18 A Okay.

19 Q This table shows the standard mortality rates of
20 disease in the Camp Lejeune and Camp Pendleton
21 population suggested for sex, race and age; is that
22 correct?

23 A That is correct, yes.

24 Q And do you see where urinary bladder cancer is
25 indicated?

1 A Yes.

2 Q Do you see that the standard mortality rate for
3 urinary bladder in Camp Lejeune population is .97?

4 A Yes, I do.

5 Q So that result would reflect that adjusted for sex,
6 race and age there are 3 percent fewer deaths from
7 bladder cancer in the Camp Lejeune cohort than for
8 the general population, correct?

9 A Again that would be the precise calculation. I would
10 say that there is -- it's very -- it's essentially
11 the same as the US general population.

12 Q Because it's so close to 1?

13 A Yes. The same way I would say if it's 1.03, I would
14 say the same thing. You're awfully close to equal
15 risk.

16 Q And would you agree that the confidence interval is
17 narrow?

18 A It's good, yes. Again, this -- yeah, there's not
19 a -- there's not a formal definition of when it's
20 narrow or not, but it's a -- it's a reasonable size
21 study, yes.

22 Q This result in and of itself would not reflect a
23 strong association between exposure to contaminants
24 at Camp Lejeune and death from bladder cancer,
25 correct?

1 A Again, this is -- there's -- I'd have to look at, you
2 know, the methods in greater detail and make a
3 judgment about that.

4 Statistically, it is not providing statistical
5 support for there being an association. I wouldn't
6 go beyond that from -- you know, just this isolated
7 finding, though.

8 Q Understood. Thanks.

9 Take a look at kidney and renal pelvis cancer,
10 which is right above bladder cancer.

11 Do you see that?

12 A Yes, I do.

13 Q And the standard mortality rate for the Camp Lejeune
14 cohort is 1.11; is that correct?

15 A That is correct, yes.

16 Q And that would reflect that adjusted for sex, race
17 and age, there are 11 percent more deaths from kidney
18 and renal pelvis cancer in the Camp Lejeune cohort
19 than in the general population?

20 A Right. Again, that number, but I would say it's a
21 small increase in risk.

22 Q Okay. So you wouldn't say that's a strong
23 association?

24 A No.

25 Q The confidence interval is relatively narrow?

1 A It is, yes.

2 Q Given that the lower end of the confidence interval
3 is below 1, that result under traditional
4 understanding is not statistically significant,
5 correct?

6 A That's right. By that definition it's not
7 statistically significant.

8 Q Okay. Can you look at non-Hodgkin's lymphoma?
9 Do you see that?

10 A Yes.

11 Q For non-Hodgkin's lymphoma, the standard mortality
12 rate for the Camp Lejeune cohort is .73; is that
13 correct?

14 A That is correct, yes.

15 Q The result shows that adjusted for sex, race and age,
16 there are 27 percent fewer deaths from non-Hodgkin's
17 lymphoma in the Camp Lejeune cohort than in the
18 general population, right?

19 A That's correct, yes.

20 Q Would you agree that the confidence interval is
21 narrow?

22 A Yes.

23 Q And would you agree that the result of a decreased
24 risk is statistically significant given that the
25 higher end of the confidence interval is less than 1?

1 A Again, technically true, yes.

2 Q This result in and of itself would not reflect a
3 strong association between exposure to contaminants
4 at Camp Lejeune and death from non-Hodgkin's
5 lymphoma?

6 A Well, you -- again, you could say that it does not
7 support there being a positive association taken in
8 isolation.

9 Q Okay. Finally, if you look at leukemias do you see
10 that line?

11 A Yes, I do.

12 Q And the standard mortality rate for the Camp Lejeune
13 cohort is .87, correct?

14 A That's correct, yes.

15 Q So that result would show that adjusted for sex, race
16 and age, there are 13 percent fewer deaths from
17 leukemia in the Camp Lejeune cohort than in the
18 general population, right?

19 A Again, that would be quantitatively correct.

20 Q The confidence interval is narrow, correct?

21 A Yes, I'd say that's a good precision.

22 Q But given that the upper end of the confidence
23 interval is greater than 1, that result of a
24 decreased risk is not statistically significant,
25 correct?

1 A Again, technically that is true. I'm not sure how
2 that helps, but the -- as I said, it's -- that is
3 correct by the standard definition of significance
4 testing.

5 Q Okay. Let's look at the relative risk comparing the
6 Camp Lejeune cohort to the Camp Pendleton cohort.

7 Do you see the number for bladder cancer?

8 A Yes, I do.

9 Q And that relative risk ratio for bladder cancer is
10 1.02, correct?

11 A That's correct, yes.

12 Q And that would reflect 2 percent greater incidence of
13 death from bladder cancer in the Camp Lejeune
14 population compared to the Camp Pendleton population,
15 right?

16 A Right. I mean, that would be the quantification.
17 I would say it's essentially saying -- it's saying
18 they have essentially the same risk.

19 Q Okay. So not a strong association certainly, right?

20 A Correct.

21 Q Okay. Look right above that for the risk ratio for
22 renal and kidney -- well, let me strike that.

23 Right above that is the risk ratio for kidney and
24 renal pelvis cancer.

25 Do you see that?

1 A Yes, I do.

2 Q And the risk ratio is 1.21, correct?

3 A That's right.

4 Q And given that the lower end of the confidence
5 interval is less than 1, under traditional
6 understanding, that's not a statistically significant
7 result, correct?

8 A That's right.

9 Q Take a look at NHL. Do you see that line again for
10 the comparison of Camp Lejeune versus Camp Pendleton?

11 A Yes, I do.

12 Q And there the relative risk is .87, correct?

13 A That's right.

14 Q That would mean that there were 13 percent fewer
15 deaths from NHL at Camp Lejeune in comparison to Camp
16 Pendleton adjusted for race, age and sex?

17 A Yes, that's the definition of the risk ratio.

18 Q And then for leukemias, do you see where the risk
19 ratio for comparing Camp Lejeune versus Camp
20 Pendleton is 1.13?

21 A Yes, I do.

22 Q And that would represent a 13 percent greater risk of
23 death from leukemias at Camp Lejeune versus Camp
24 Pendleton, right?

25 A Yes, that's correct.

1 Q The lower end of the confidence interval is .89,
2 right?

3 A That's right.

4 Q And since that's less than 1, that means under
5 traditional understanding, that result is not
6 statistically significant?

7 A That's right.

8 Q Okay. You can put that exhibit aside.

9 (Deposition Exhibit No. 20, Supplemental Materials
10 from the 2024 ATSDR Mortality Study, was marked for
11 identification.)

12 BY MR. BAIN:

13 Q I'm showing you what has been marked as Exhibit 20
14 and I'll represent to you that these are the
15 supplemental materials from the 2024 ATSDR mortality
16 study.

17 Do you see that?

18 A Yes, I do.

19 Q And would you agree that this appears to be a dose
20 response analysis based on low duration, medium
21 duration and high duration?

22 A That is -- again, according to the headings, that's
23 the -- those are the categories that they're
24 describing there.

25 Q And this is for the Marine/Navy personnel subgroup

1 for 1975 to 1985 at Camp Lejeune with Camp Pendleton
2 as a reference group.

3 Do you see that?

4 A Yes, I do.

5 Q If you look at the bottom of the first page, do you
6 see bladder cancer and kidney cancer?

7 A Yes. I -- again, I'm sorry. In looking at what
8 they're doing there, though, analysis of base
9 duration...

10 So I'd have to look up the details. I just was
11 trying to clarify if they compared low duration Camp
12 Lejeune personnel to low duration Camp Pendleton
13 personnel and then medium duration Camp Lejeune to
14 Camp Pendleton, and high duration Camp Lejeune to
15 Camp Pendleton, that -- I -- I'm assuming that, but
16 I'd have to look to verify that.

17 Q Okay. But you don't question that they were trying
18 to do a dose analysis through this type of
19 calculation?

20 A They were clearly interested in what the effective
21 duration would be on the hazard ratios.

22 Q And is duration sometime used as a -- a proxy for
23 exposure?

24 A Yes, it is. It's -- it's one of the ways you can try
25 to capture greater and lesser amounts of exposure.

1 Q And that's -- that's not uncommon in epidemiological
2 studies, correct?

3 A That's correct.

4 Q If you look at bladder cancer, would you agree that
5 there's not a monotonic dose response relationship
6 shown in this analysis?

7 A Again, technically not, but there is -- again, if
8 I were describing it, I would say some indication
9 that the -- the risk rises in the high duration group
10 relative to the others. But no, it's not a -- it's
11 not a monotonic relationship because it's a little
12 lower in the middle.

13 But it's -- again, it's technically not a -- a
14 purely monotonic relationship, but I do think it's
15 showing some indication of being a bit higher in the
16 high duration group.

17 Q Would you cite that analysis as being supportive of
18 causation -- of an inference of causation?

19 A Weakly so, yes, I would. I would mention that -- in
20 other words, I would take note of that high duration
21 hazard ratio of 1.24 as a -- you know, possible or
22 some indication that long duration may be associated
23 with a greater risk.

24 Q If you look at the next line, which is kidney cancer,
25 do you see that there appears to be an inverse dose

1 response relationship reflected in that analysis?

2 A I do see that, yes.

3 Q So would you agree that that would not be supportive
4 of an inference of causation?

5 A Again, not in and of itself. It suggests that
6 there's a somewhat greater risk in low and medium
7 duration but not in high duration personnel.

8 Q And that's not typically what you see when there's a
9 causal relationship, correct?

10 A Again, subject to all the caveats about measuring
11 exposure accurately and so on, all other things
12 equal, that is not supportive.

13 Q Okay. Turn the page.

14 Do you see non-Hodgkin's lymphoma?

15 A Yes, I do.

16 Q And with non-Hodgkin's lymphoma there is actually an
17 invert dose response relationship, correct?

18 A Yes, that's correct.

19 Q So that would not be supportive of inference of
20 causation, would it?

21 A That's correct.

22 Q And if you look at leukemias -- do you see that line?

23 A Yes, I do.

24 Q And for leukemias generally, there's not a monotonic
25 dose response relationship, is there?

1 A That's right, there is not.

2 Q And do you see that it's divided into subtypes?

3 A Yes.

4 Q Are you familiar with MDS, what that stands for?

5 A Myelodysplastic syndrome, I believe.

6 Q Is that a subtype of leukemia?

7 A This is -- again, it's a subtype -- it's certainly
8 a -- a type of blood cancer. And you know, I don't
9 know if it's considered a subtype of leukemia, but
10 it's a -- it's certainly a lymphatic -- I mean, it's
11 a hematopoietic cancer.

12 I just -- I'd have to look up exactly how that's
13 defined in the literature.

14 Q Okay. Well, excluding that particular condition, if
15 you look at the other -- well, just to be complete,
16 if you look at that particular condition, MDS, there
17 appears to be a monotonic dose response relationship,
18 correct?

19 A Yes, with again relatively high levels across and
20 getting higher as you go from -- to longer duration.

21 Q But if you look at all of the other leukemia
22 subtypes, ALL, CLL, AML and CML, none of those
23 subtypes in this analysis show a monotonic dose
24 response relationship, do they?

25 A That -- that's right. Again clearly as expected for

1 these subtypes you're getting into very small numbers
2 problem. They don't show the number of cases, but I
3 suspect we're getting into a rather low range given
4 the width of the confidence intervals.

5 And so you could say it's not a -- it's not
6 finding sort of a -- a gradient, but it -- it --
7 given that imprecision, you didn't have much of a
8 chance to do so. In other words, it's not showing
9 there's no gradient.

10 It's saying we have small numbers of cases. I'd
11 want to see the numbers in each group, but I think
12 it's probably in the, you know, three- or four-case
13 range in each of those categories.

14 Q Well, if you look at ALL -- well, strike that.

15 For leukemias generally, the confidence intervals
16 are fairly narrow; would you agree with that?

17 A Right. In the aggregate the leukemias certainly have
18 enough data to be a -- that -- that's a meaningful
19 analysis. I'm just trying to distinguish in the
20 subgroups whether you want to say anything about
21 them, you know, based on the number of cases and the
22 degree of precision.

23 Q Okay. So we've talked a lot about the statistical
24 effect and association of the dose response analysis.

25 You'd agree that it's important also to consider

1 the quality of the study when you're looking for an
2 association or -- or trying to infer causation?

3 A Right. Interpreting the study -- you can't interpret
4 the study results without looking at the study
5 methods.

6 And, in fact, you can argue that you should look
7 at the methods first to decide is this going to be an
8 informative study based on the quality of the work.
9 So if it's a good study, whatever it finds is worth
10 paying attention to.

11 Q Okay. I wanted to ask you about a few other things
12 in your book. So if you have Exhibit 4.

13 A Okay.

14 Q And turn to Page 77.

15 A Okay.

16 Q Do you see where you have a section, See the quality
17 of the study's finding and association?

18 A Yes.

19 Q And you state there, first of all, Epidemiological
20 studies can vary substantially in their quality and
21 hence vary in the confidence that can be placed in
22 their results, right?

23 A That's correct.

24 Q And next you say, Even when findings are mixed across
25 studies, some supportive of an effect and others not,

1 if those that are methodologically strongest tend to
2 provide the most support for a potential causal
3 association, the overall weight of evidence tips in
4 that direction.

5 Correct?

6 A That's correct, yes.

7 Q What are the factors that make a study
8 methodologically sound?

9 A You know, it's -- it's the whole constellation of --
10 of the -- the sources of bias being minimized. So
11 that with regard to random error, larger study size
12 is beneficial.

13 Very often the quality of exposure assessment in
14 particular, in environmental epidemiology at least,
15 is often a major determinant on how accurately
16 exposure was ascertained. Similarly, the accuracy of
17 disease diagnosis, susceptibility to confounding.
18 If -- selection bias is sometimes a factor depending
19 on if people are lost to follow up in a selective
20 manner. But all those factors are considered.

21 Now, in any given topic area some may be much
22 more important than others. And so, as I said, a lot
23 of the work I do is in environmental epidemiology
24 where it's almost always the case that exposure
25 assessment is the limiting factor. And when you can

1 group the studies into those that do a better and
2 worse job, that's often going to drive the overall --
3 overall value of the study for assessing a potential
4 causal effect.

5 Q So are you saying that in environmental studies,
6 exposure assessment is one of the most important
7 factors in evaluating the strength of the study?

8 A It very often is. It's often a limiting factor, yes.

9 Q And you haven't, I don't think based on your prior
10 testimony, evaluated the ATSDR studies with respect
11 to the strength of their exposure assessment?

12 A I have not, no.

13 Q You go on to say in that paragraph that, Note that a
14 selective focus on supportive studies is not
15 cherry-picking so long as the reason for placing more
16 faith in those studies is clear. Correct?

17 A That's right, yes.

18 Q So when a scientist is focusing on certain studies
19 and not all the studies, it's important for the
20 scientist to explain why they're focusing on those
21 studies?

22 A Yes. I mean, I think the key point is that this is
23 where it sort of -- to me cherry-picking is when
24 you -- you find results that you like and emphasize
25 the studies that generate the results that you like.

1 What I'm saying is when you focus based on the
2 quality of the methods, you know, you could
3 even -- you should not be attending to what the
4 results are. The methods determine the quality of
5 the study. And then the results are whatever --
6 however they come out.

7 And so it's -- it's saying that that can be done,
8 and it doesn't mean that you consider the studies
9 equally. Sometimes there's a literature where there
10 may be 20 studies and only three -- three of them are
11 so much better than the others they actually carry
12 more weight than the other 17 put together, and you
13 need to explain it. But it's one of the arguments
14 against just counting studies or saying these five
15 were statistically significant and these weren't.

16 This is, I think, a more informative approach
17 is -- is having it be driven -- the weight is being
18 driven by the quality of the methods.

19 Q Would you agree that if an expert selectively focuses
20 on supportive studies and ignores methodologically
21 strong studies that find no association, that would
22 be cherry-picking?

23 A Again if it's a -- anytime that the selection of --
24 or the weighting of studies -- let's say you're doing
25 either formally or informally a weighted assessment

1 of the evidence, if the weight is determined by the
2 results and how they happen to come out, that I
3 consider to be, you know, a -- an inaccurate
4 weighting. A weighting should be by the quality of
5 the methods.

6 Q Not by the results?

7 A That's right, not by the results. The -- right.
8 That -- that's right.

9 Weighting it by the results is -- you know, if
10 you -- if you focus on the studies that are negative
11 then -- or focus on the studies that are positive,
12 you're not giving an accurate presentation of what
13 the overall set of studies has to say.

14 Q So if an expert were to ignore methodologically
15 strong studies that find no association in support of
16 an opinion of causation or alternatively were to
17 ignore strong studies finding an association but just
18 focusing on ones that found no association in support
19 of an opinion finding no causation, in either of
20 those cases, that would be cherry-picking, wouldn't
21 it?

22 A That's right.

23 Q If the methodologically stronger studies find no
24 association with only the weaker studies indicating a
25 possible effect, would you agree then that the

1 overall weight of the evidence tips in the direction
2 of no causal association?

3 A Again, you know, if -- it depends on the relative
4 merits of the, you know, higher and lower quality
5 studies. I mean, sometimes there's -- they're kind
6 of all in the same ballpark, and there may be a
7 little bit, these are a little better, these are a
8 little worse. Then you can argue that they're
9 roughly equal and you can look at them as a group.

10 I'm -- I'm making the distinction when there are
11 times that there really are qualitatively superior
12 studies. And as I said, in this -- it has to be
13 explained.

14 I mean, you -- but that if it can be explained on
15 its merits and there are clearly a subset of studies
16 that are going to carry the most weight, those would
17 override the studies that are weaker in design and
18 again you would be assigning them less weight. And,
19 again, it should be for logical reasons and
20 explainable reasons.

21 So if it's exposure assessment and some of them
22 do a poor job that may well have missed -- you know,
23 that may be inaccurate and we know that, others do an
24 excellent job, then you can explain that and say, you
25 know, why you -- why you pay more attention to the

1 latter.

2 Q If an expert is rendering a general causation opinion
3 that chemical X produces the effect Y, how would an
4 expert appropriately conduct a literature search?

5 A Well, I mean, again you would start off with
6 identifying all studies that have -- that are
7 informative on that question. You know, there --
8 there's searches and, you know, computerized searches
9 and so on to identify studies based on -- again,
10 initially at least I would tend to not be concerned
11 about the exact design or methods.

12 It's if they address this question. And once
13 those are in hand, then you can begin to, you know,
14 examine them and organize them based on their
15 methods. And so you may divide them into studies
16 that assess exposure by self-report versus
17 measurements or other -- other attributes, but
18 creating these subgroupings that are informative
19 regarding how accurate the study is likely to be.

20 Q So -- so gathering all the relevant peer-reviewed
21 literature on the question and then organizing them
22 based on the quality of the studies, is that part --
23 are those parts of the analysis?

24 A That's right. And, again, not just on quality like
25 good/bad --

1 Q Mm-hmm.

2 A But at least I find it more helpful. I've written on
3 this, and I think it's more helpful to consider
4 multiple axes of quality that -- you know, that --
5 those that do a better job of accessing exposure
6 versus a worse job, those that control for an
7 important confounder, those that don't.

8 Because then in that spirit of triangulation --
9 so let's say I'm worried about smoking as a
10 confounder. Well, if I find the studies that do and
11 don't address that it makes no difference, I may be
12 less worried about it now, and I can focus on other
13 things.

14 So it -- it not only says which is good and bad.
15 It -- you get more insight into what -- what's making
16 them good or bad.

17 Q And I'm almost getting close to the end here, I
18 think.

19 You have a statement on Page 81 of your book.
20 Turn to that page.

21 A Yes.

22 Q At the bottom of -- and this again is in the section
23 on Commonly Used Arguments in Opposition to a Causal
24 Judgment.

25 A Yes.

1 Q And this is in Section A, Statistical uncertainty and
2 cherry-picking.

3 Do you see the sentence at the end of that --
4 second paragraph that says, If the data are analyzed
5 in enough different ways and a large enough array of
6 results are presented, it is almost inevitable that
7 some glimmer suggestive of a positive association
8 will be found.

9 Correct?

10 A Yes, that's correct.

11 Q Can you elaborate on what you mean by that?

12 A Yes. I mean, if -- if -- again there's various
13 examples of this. We do a lot of studies that look
14 at biomarkers and we may look at 10 biomarkers and we
15 may look at the association with a number of
16 different health outcomes we're looking at the
17 relationship again in this hypothetical example to,
18 you know, cholesterol and other lipids and thyroid
19 hormones and so on.

20 And then we may look among males and females
21 separately. We may look among younger and older
22 people separately. Well, you generate an array of
23 results. Every one of those questions may be
24 reasonable, but at the end when you say, oh, it's
25 this chemical with this hormone, in women who are age

1 40 to 49 this is the meaningful takeaway from the
2 study, it is -- it's a form of within study
3 cherry-picking.

4 And, you know, it's probably better to say, well,
5 we -- you know, if it's true, we didn't see anything
6 overall. There's some uncertainty. There may be
7 some glimmers here, but, you know, we'll -- you know,
8 it will take more research to figure it out.

9 And it's sort of a -- it's reasonable to do the
10 calculations, it's reasonable to interpret them, but
11 there can be a -- sort of a -- I want to say --
12 not -- it's almost bias in the conventional sense,
13 not like in the epidemiologic sense --

14 Q Mm-hmm.

15 A -- but I am looking for positive results and any
16 glimmer I find, I'm going to cite.

17 Or the other way around. I've seen it where
18 there's a -- you know, you can look at studies, you
19 know, established hazards and say, well, we're not
20 quite sure, in this group it may not be there. That
21 might not be the most important sort of overall
22 message from the study.

23 Q Is it true that when you're doing, you know, multiple
24 comparisons across a study that it's possible that
25 you may have some findings either in a positive or a

1 negative direction that are even statistically
2 significant that are actually the result of chance?

3 A Oh, I mean, this is where, again, statistical
4 significance is sort of besides the point. There
5 will be variation in the results due -- to some
6 extent, due to random error alone. And so that's why
7 I think it's important to look at the patterns.

8 And that -- there's a lot of dimensions to what,
9 you know, the patterns -- it can include looking at
10 dose response gradients, or there may be, you know,
11 different aspects of the analysis that are helpful in
12 a given situation.

13 And so you don't just isolate and zero your
14 attention in on a single number and a single table,
15 you're describing the overall constellation of
16 evidence. That I think is a more informative
17 approach.

18 Q And part of looking for that pattern is looking for
19 consistency across studies, right?

20 A Again, now we're looking at a whole array of
21 research --

22 Q Uh-huh.

23 A -- and unless there -- if there -- if the methods
24 would lead you to believe that they will generate
25 similar results -- that's a big if...

1 In other words, if you see a difference between
2 good studies and bad studies, you shouldn't be
3 worried; you just focus on the good studies. But
4 when there's no other good reason for that variation
5 and it starts to look -- and they really bounce
6 around a lot on either side of the null, you may
7 reasonably conclude that it's not overall supporting
8 an association.

9 Q And consistency of results across studies is one of
10 the Bradford Hill consideration, right?

11 A It is, but again, it's like all the others; it's yes
12 but...

13 And consistency in the sense that -- that if
14 there is not a methodologic reason to expect them to
15 generate different results, if -- if -- then you
16 might be worried about in consistency. In fact,
17 I would go the other way, though. If there is a good
18 reason to expect differences, I -- I expect to see
19 inconsistency.

20 That doesn't mean it's not causal, it just means
21 that all the studies are not zeroing in on the same
22 estimate or result.

23 So it's -- it's unexplained inconsistency,
24 I think you could say, is the concerning factor.

25 Q What would be a good reason to expect inconsistency?

1 A Again, if -- in my hypothetical example, if some
2 studies measure exposure poorly and they -- under
3 many assumptions, they're not going to be able to
4 detect an association even if one is really
5 operating.

6 And other studies, let's say, of the same issue
7 have a much better approach or a much better method
8 of estimating exposure. The poor studies are going
9 to be very close to the null, the good studies are
10 going to generate a positive association.

11 You don't throw your hand up and say, we can't
12 draw any conclusions because they're inconsistent;
13 you are able to understand and explain why -- in
14 fact, you could say the inconsistency is informative.

15 We expect bad studies to do that. We expect good
16 studies to zero in on the accurate result. If of
17 course they all find null findings, that's different,
18 but -- or they -- sometimes up get into situations
19 where the higher quality studies are -- are closer to
20 null and the poorer studies that have biases that are
21 more supportive.

22 It's a matter of going back to the methods, or
23 starting with the methods, I should say, to say,
24 what -- what would we predict? If this is a big
25 issue, what would we expect? And then reconciling

1 the results with the -- the consistency reconciling
2 that with -- with the prediction of what would be
3 expected.

4 Q And I know you say you haven't reviewed the ATSDR
5 Camp Lejeune studies at least recently in detail,
6 correct?

7 A I -- I've never reviewed them in detail.

8 Q Okay.

9 A I haven't -- I have at most a passing familiarity
10 with them having been done, and I really couldn't
11 speak to any of the details at this point.

12 Q But you do understand that there were several Camp
13 Lejeune studies done at different points of time and
14 mainly focusing on the same population?

15 A I'm aware there's research on both the military
16 population, the civilian population, there's data on
17 mortality, there's data on cancer incidence. That's
18 about as much of -- you know, that's sort of the
19 broad understanding that I have.

20 Q And you don't have any understanding whether the
21 findings across those studies showed any consistency
22 or inconsistency?

23 A Again, I've not had a -- I've not been asked to and
24 have not looked at that issue.

25 Q And in fact in this case, what the plaintiffs have

1 asked you is fairly limited, which is to opine much
2 of what we've been talking about today, which is
3 statistical significance and the effect of random
4 error, correct?

5 A That's correct. It's exclusively on that more
6 technical issue, which obviously I understand has
7 bearing on this or maybe other -- any other case as
8 well, but it's really trying to explain why it is
9 that -- sort of a -- explaining the methods and
10 arguing and making the case for a certain
11 methodologic approach to analyzing and interpreting
12 data that I believe is more informative.

13 Q And how much time did you put into -- putting
14 together your report for this case?

15 A Oh, boy, that's -- I have somewhere in my records --
16 I'm -- .

17 Q It would be reflected in the invoices that you gave
18 to counsel?

19 A Oh, yes, it definitely would. And I -- honestly, off
20 the top of my head, I'm not sure what that would have
21 been. I mean, I could guess, but I -- it's probably
22 better to go to the invoices to clarify that.

23 Q Okay. Did you meet with counsel in preparation of
24 the -- this deposition?

25 A Very briefly I had a discussion, yes.

1 Q Okay. Have you communicated with any other experts
2 in this case, as far as you know, with respect to
3 your work in this case?

4 A I have not.

5 Q Have you discussed this case with any of your
6 colleagues?

7 A I have not.

8 Q Okay. Do you have any support staff assisting you
9 with your work on this case?

10 A I do not.

11 MR. BAIN: What I would like to do is take a
12 break now, consult with my colleagues, see if I have
13 anything else, but I think I'm just about done.

14 VIDEOGRAPHER: All right. We're going off
15 record. The time is 2:02.

16 (Whereupon a recess was held at 2:02 p.m. and the
17 deposition was resumed at 2:11.)

18 VIDEOGRAPHER: We're going back on record at
19 2:11.

20 MR. BAIN: Thank you, Dr. Savitz. I have no
21 further questions. Thank you very much.

22 THE WITNESS: Thank you.

23 MR. McGOWAN: Dr. Savitz, one brief topic.
24
25

EXAMINATION

BY MR. MCGOWAN:

Q Is it fair to say that science needs to be done in the context of the question that is presented or the question to be answered, including the degree of certainty required by the question at hand?

A Again, I would say that science has to be interpreted in reference to some purpose or benchmark. So you know, if there's a charge or there's a standard set -- and we may look at the same body of evidence regardless of what that standard is, but judging whether it meets the standard for causal inferences or other sorts of factors absolutely depends on the way the question -- what the exact question is that's being asked.

MR. MCGOWAN: All right. Thank you.

MR. BAIN: No further questions from me. Thank you.

VIDEOGRAPHER: All right. We're going off record. The time is 2:12.

(A discussion was held off the record.)

(It was indicated that the deponent would read and sign a copy of his deposition transcript.)

(Concluded this deposition at 2:12 p.m. this date.)

CERTIFICATE

I, Angella D. Clukey, a Notary Public in and for the State of Maine, hereby certify that on May 16, 2025, remotely appeared before me David A. Savitz, Ph.D., the within-named deponent, who was sworn to testify to the truth, the whole truth and nothing but the truth, in the cause of action Camp Lejeune Water Litigation, now pending in the United States District Court for the Eastern District of North Carolina, and that this deposition was stenographically reported by me and later reduced to typewritten form with the aid of Computer-Aided Transcription, and the foregoing is a full and true record of the testimony given by the witness.

I further certify that I am a disinterested person in the event or outcome of the above-named cause of action.

I further certify that the adverse party was duly notified according to law to attend at the taking of said deposition and did attend.

IN WITNESS WHEREOF, I subscribe my hand and affix my seal this 2nd day of June 2025.

<%10015,Signature%>

ANGELLA D. CLUKEY, NOTARY PUBLIC

Court Reporter

My commission expires March 17, 2031

1 DEPOSITION ERRATA SHEET

2 Assignment No. 7351617

3 In Re: Camp Lejeune Water Litigation

4
5 DECLARATION UNDER PENALTY OF PERJURY

6 I declare under penalty of perjury that I have read
7 the entire transcript of my deposition taken in the
8 captioned matter or the same has been read to me, and the
9 same is true and accurate, save and except for changes
10 and/or corrections, if any, as indicated by me on the
11 DEPOSITION ERRATA SHEET hereof, with the understanding
12
13 that I offer these changes as if still under oath.

14
15
16
17
18
19 Signed on the _____ day of

20
21 _____, 20__.

22
23 _____

24
25 DAVID A. SAVITZ, Ph.D.

DEPOSITION ERRATA SHEET

Page No.____Line No.____Change to:_____

Reason for change:_____

Page No.____Line No.____Change to:_____

Reason for change:_____

Page No.____Line No.____Change to:_____

Reason for change:_____

Page No.____Line No.____Change to:_____

Reason for change:_____

Page No.____Line No.____Change to:_____

Reason for change:_____

Page No.____Line No.____Change to:_____

SIGNATURE_____DATE:_____

DAVID A. SAVITZ, Ph.D.

&	0.88 116:10	1.05 42:14	1.37 121:4
& 2:4	0.91 86:14	1.05. 131:4	1.38 89:25
0	0.948 116:8	1.07 27:25	1.41 67:14
0 32:7	0.95 86:12	1.08 130:7	108:1
0.001 116:9	00897 1:6	131:12	1.42 91:13
0.037 116:9	05 31:22 33:9,9	1.08. 129:12	1.44 91:14
0.05 31:22	35:16 117:4	131:14	1.49 70:22
0.06. 105:23	1	1.09 86:11	1.5 49:7 134:3
0.124 116:7	1 1:16 3:10 5:4	131:10	1.50. 86:14
0.15 118:18	5:12 8:13,14	1.1 42:10,14	1.54 91:14
119:4	10:13,14 19:6	56:10 88:1	105:20 107:12
0.2 83:15	19:10,15,18,21	129:15 130:9	1.72 72:23
0.21 83:18	19:22 20:3,10	131:20	1.73 108:4
108:4	20:11 21:3	1.10 68:19	1.9 65:3
0.27 110:12	32:8 67:23	86:13 118:18	1.92 110:12
0.36 68:20	69:5 71:6	119:4	1.96 121:1
0.38 67:15	79:18 94:1	1.11 148:14	10 4:2 28:1
0.41 116:4	95:4 124:9	1.12 70:22	35:3 42:10
0.46 108:2	126:4 127:8	1.13 152:20	56:4 65:24
0.5 72:24	128:8 138:3	1.15 42:14	69:8 73:19
0.52 89:25	146:9 147:12	1.2 49:3 87:20	75:17 81:12,13
99:16 107:1	149:3,25	88:4,10 134:3	85:14,19
0.57 90:3	150:23 152:5	1.20 58:24	105:22 106:7
0.58 90:1	153:4	146:8	123:16,25
0.6 112:19	1,708 108:10	1.21 25:25	167:14
0.63 105:21	1.0 48:25	152:2	10,868 67:12
0.71 121:2	124:13 130:25	1.24 155:21	10.00 116:4
0.75 99:15	1.01 130:23	1.24. 86:12	10.71 99:17
0.76 74:20	1.02 151:10	1.3 95:10	10015 176:22
75:13	1.03 125:25	1.31 89:24	103 4:8
0.84 89:19	126:17 147:13	1.35 89:19	10:19 57:24,25
0.86 126:23	1.03. 125:17	95:10	10:33 58:8
		1.36 90:2	10:34 58:1

11 4:4 88:17,22 93:15 105:12 138:3 148:17 110 97:14 111 4:9 115 4:11 117 4:12 11:59 114:24 114:25 115:1,5 12 4:5 90:22 91:1,2 12.86 74:20 75:13 78:5 122 4:14 127 6:13 12:08 122:5,6 12:53 122:7,14 13 4:6 98:22 99:3 128:2 150:16 152:14 152:22 13.89. 110:12 13th 3:20 63:19 64:1 14 4:8 99:2 103:9 105:8 127:2 14,455 100:4 145 4:17 15 4:9 89:1 93:16 101:3 111:8,12 121:22	151 80:14 82:3 83:5 152 80:19 153 4:19 1539 2:5 16 1:17 4:11 5:5,10 115:2,8 115:13 176:3 168 80:17 17 4:12 10:9 117:16,23 162:12 176:25 175 3:4 18 4:14 122:8 122:19 141:20 19 4:17 145:12 145:19 1960 108:13 1975 86:16 89:10 154:1 1985 86:16 89:10 154:1 1993 72:19 73:7 1995 110:7,15 1997 112:2	151:12 2,118 67:13 2.0 49:7,17 134:4 2.1 65:3 2.16. 89:20 2.18 116:3,13 2.2 116:23 2.26 28:6,11 2.32 99:14 103:21 2.35 99:16,21 2.35. 103:21 2.5 76:4 2.51 80:14 81:23 82:2 2.52 80:19 83:17 2.68 80:16 83:14 2.8 112:19 113:20 20 4:19 35:4 111:17 153:9 153:13 162:10 177:21 2001 107:20 108:7 20044 2:12 2014 28:4 71:25 77:15 89:6,8 91:3 96:7,22	2014a 89:8 105:13 2014b 75:6 105:14 2015 115:23 2017 3:20 63:19 64:1 202-616-4209 2:12 2024 3:14 4:19 25:2,7 88:2 96:22 145:25 153:10,15 2024b 86:2 2025 1:17 5:5 5:10 10:9 176:3,20 2031 176:25 21 81:24 111:16 112:1 118:4 213 74:21 22 118:3,9 23 25:13,14,17 23rd 25:14 24 3:13 24.91 121:4 252 6:14 26 27:20 27 149:16 27.88. 116:10 28 74:15 80:4 99:10 103:15
	2 3:11 9:8,23 10:4 11:4 16:21 31:6,11 56:6,6,10 64:17,23 79:18 123:3,6 146:17		

29 103:15 29732 2:5 2:02 174:15,16 2:11 174:17,19 2:12 175:20,24 2nd 176:20 3 3 3:13 24:25 25:5 59:1 88:5 93:9 94:4 95:10 121:22 125:21 146:12 147:6 3.1 59:19 76:4 3.13 74:19 75:12 78:4 3.2. 94:9 3.25 90:3 3.28 90:1 3.29 89:25 3.30 108:2 3.38 68:20 3.75. 105:21 30 51:24 113:15 30.76 81:24 30.83. 83:18 31 95:3,4 32 70:13 33.28 83:15 340 2:11 35 72:16 107:15 110:3	37 109:24 110:6 38 97:15 38.19. 107:1 39 86:1 4 4 3:15 17:19 44:23 45:3 78:17,21 83:1 132:8 159:12 4,647 84:13 4.04 28:6,11 4.44 106:25 107:10,12 4.71 72:24 4.85 116:10,13 40 85:24 86:7 168:1 41 67:15 42 67:6 44 3:15 45 97:14 457 67:13 49 168:1 5 5 3:16 24:20 58:2,11 78:15 5.28 67:15 5.37 121:2 5.8 121:20 5.84 121:3,10 50 42:10	50,563 67:12 52 94:8 58 3:16 59 108:4 6 6 3:3,18 63:16 63:23 81:20 83:13 113:21 141:23 146:17 6.25. 108:4 60 51:2 63 3:18 66 3:21 7 7 3:21 66:18,23 76:4 7.15 99:15 72 72:25 720 112:17 73 149:12 7351617 177:2 74 3:22 75 45:8,11 52:14 76 78:5 132:13 137:4 77 3:24 159:14 7:23 1:6 8 8 3:10,22 64:4 74:3,8 77:18 77:24 78:8	79:21 80:2 131:16 8.1 113:21 8.1. 112:20 8.12 118:18 119:5 81 166:19 82 136:3 139:20 83 70:22 85 4:2 86 127:6 87 127:24 150:13 152:12 88 4:4 89 153:1 9 9 3:11,14,24 25:2,6 77:2,9 81:10 90 4:5 123:12 95 17:15,25 18:5,13,15 21:23 33:11 64:9,16,22 67:14 68:19 70:22 72:24 74:19 86:11,13 89:19,24 90:1 90:2 99:14,16 105:20 107:1 108:1 110:12 112:19 113:21
---	---	---	--

118:18 121:1,3 96 131:24 97 147:3 98 4:6 129:23 99 130:15 9:04 1:18 5:5 5:11	absolutely 38:17 175:13 abstract 58:19 61:8 63:6 146:5,10,15 academies 9:9 72:5 academy 9:20 72:9 73:8 100:11,18,23 101:20 120:20 accepting 91:7 access 108:8 110:17,18 115:24 120:20 accessible 54:9 accessing 166:5 account 26:24 29:24 48:18 71:24 accounting 61:11 accumulative 117:7 accuracy 21:7 160:16 accurate 8:6 23:23 41:7 143:24 145:8 163:12 165:19 171:16 177:9 accurately 41:1 156:11 160:15	acknowledge 37:9 acknowledged 113:3 acronym 17:6 acting 136:22 action 1:6 176:7,15 actually 9:22 14:4 55:6 69:14 115:12 156:16 162:11 169:2 acute 71:17 113:4,4,5 adam 2:9 5:22 6:15 adam.bain 2:13 adams 2:10 5:23,23 add 97:7 127:14 134:13 143:20 144:5 144:11 145:1,4 adding 62:18 68:12 117:5 134:25 addition 50:4 52:8 92:4 additional 24:19 104:16 address 6:12 10:15 13:7 22:12 43:17	51:8 165:12 166:11 addressed 12:23 30:4 72:5 addressing 19:17 27:17 adds 69:15 117:13 adjectives 68:2 adjusted 21:17 123:15,19 124:2 125:20 127:1 128:1 146:7 147:5 148:16 149:15 150:15 152:16 adjusting 120:25 administered 6:2 adverse 176:16 affect 127:17 137:23 affects 24:22 affix 176:19 age 14:14 15:9 85:5 123:16,22 124:2 125:21 127:2 128:2 146:21 147:6 148:17 149:15 150:16 152:16 167:25
a			
a.m. 1:18 5:5 5:11 57:25 58:1 114:25 115:1 ability 48:22 83:8 able 52:16 100:16 138:19 139:11 171:3 171:13 above 20:3,10 80:13 89:16 99:11 130:9 131:20 148:10 151:21,23 176:15 absence 19:16 114:12 135:3 136:4,8,12,14 139:21 140:7 143:14 absent 132:25 135:24 absolute 47:5 87:23 129:19			

agencies 50:22 agent 133:21 133:21 aggregate 95:11 116:23 158:17 ago 9:9 101:3 112:11 agree 29:14,18 30:1 37:17 38:12 42:21 43:4 59:13 64:20 67:25 90:6 92:6 119:9,25 120:2 124:17 126:9 131:22 135:25 138:8 142:13 143:14 145:1 147:16 149:20 149:23 153:19 155:4 156:3 158:16,25 162:19 163:25 aid 176:11 aided 176:11 air 50:20 52:2 aircraft 100:5 al 70:17 72:19 100:4 107:19 112:2 alex 2:15 5:8 algebra 20:21	algebraically 20:6 algorithm 88:7 allen 6:11 allocate 36:9 allocated 36:25 allocation 36:13,19,21 alternatively 163:16 america 1:8 5:15 american 39:3 39:18 aml 70:18 113:8,19 114:10,12,17 116:2 117:8 157:22 amount 72:22 106:12 amounts 154:25 analyses 91:6 96:7 132:11 145:1 analysis 4:5 19:11 28:1 43:5,13,15,20 43:23 44:2,6 44:16 50:10 90:23 91:2 96:19,22,23 98:6 106:17,17	108:2 112:7,16 113:17 134:11 134:21,23 142:5 153:20 154:8,18 155:6 155:17 156:1 157:23 158:19 158:24 165:23 169:11 analyzed 167:4 analyzing 173:11 angella 1:14 5:1,21 176:2 176:23 answer 7:9 53:20 62:6 76:9 135:14 answered 175:5 answering 8:9 98:20 anytime 162:23 anyway 55:11 56:1 apparent 92:13 92:25 apparently 71:15 119:18 121:10 appear 34:6,8 34:14,15 95:24 140:18 142:4	appearance 137:8 appearances 2:1 appeared 176:4 appears 39:10 63:7 79:8 153:19 155:25 157:17 appendix 28:22 28:24 applicability 88:12 application 12:16 132:4 apply 15:20,24 16:4,6 applying 60:7 approach 18:8 34:24 64:24 106:14 162:16 169:17 171:7 173:11 approaches 14:8 approaching 65:7 appropriate 37:9 44:15 97:1 98:8 appropriately 165:4 arbitrariness 18:11
--	---	--	---

arbitrary 60:14 60:16 62:13 65:1 66:2 130:22 131:1 area 48:1 160:21 arena 54:3,4,7 argue 136:25 141:11 159:6 164:8 arguing 173:10 argument 45:9 53:13,15 135:1 arguments 45:15 132:19 140:1 162:13 166:23 array 12:18,21 13:11 21:19 26:13 30:15,21 60:5 167:5,22 169:20 article 17:5 137:25 articles 40:20 artifact 46:18 48:6 52:2 artifacts 47:14 52:7 ascertained 160:16 aschengrau 72:19 73:7 120:14,24	aside 30:23 55:22 66:17 71:13 79:3,24 85:13 88:16 98:21 114:18 129:8 132:6 153:8 asked 7:21 10:15 104:2 172:23 173:1 175:15 asking 38:16 82:8 92:9 104:7 113:1,18 137:22 aspects 33:1 169:11 assembling 66:15 assess 11:10 21:25 23:3 43:13 66:9 112:8 165:16 assesses 101:4 assessing 11:6 161:3 assessment 3:18 26:10 28:18 30:9 57:13 63:17,24 68:7 97:25 98:5 116:21 120:8 160:13 160:25 161:6	161:11 162:25 164:21 assigning 164:18 assignment 103:3 145:8 177:2 assisting 174:8 associated 89:23 116:24 133:16 134:9 140:19 155:22 association 11:11,17,24 12:1 13:14 15:21 17:5 19:16,16 22:24 23:8,9,25 25:25 27:25 28:5 29:2 31:21 33:6 41:17,21 42:3 42:9,24 43:9 45:19,22 46:1 46:8,9,12,21 47:12,23 48:2 48:5,8,9,13,17 48:22,25 49:14 49:18 50:5,12 51:12,21 53:3 53:9,10,13 61:1 70:12 76:3,10 79:2 79:16 87:13,17	88:1 98:15,16 99:13 101:1,14 108:19 112:8 113:19 114:12 114:12 120:1,3 120:4 124:16 126:10 127:12 128:13 129:18 130:12,13 131:7,8,22,23 133:15 134:6 134:12 137:8 140:12 143:23 147:23 148:5 148:23 150:3,7 151:19 158:24 159:2,17 160:3 162:21 163:15 163:17,18,24 164:2 167:7,15 170:8 171:4,10 associations 11:14 49:16 50:1,13,19 51:10 52:6 59:8 87:25 107:4 108:21 116:13 assume 8:2 60:3 73:11 99:19 106:1,5 112:4 121:24 assumed 16:18
---	--	---	---

assuming 13:6 26:19 154:15 assumption 129:2 138:13 assumptions 18:22 171:3 atsdr 3:18 4:19 57:15 63:16,23 96:7,14,19,23 146:6 153:10 153:15 161:10 172:4 atsdr's 57:3 63:12 attachment 9:3 attainable 55:9 attempt 16:19 52:15 attend 176:17 176:18 attending 162:3 attention 49:15 62:11 118:9 159:10 164:25 169:14 attorney's 1:15 5:2 attributes 53:16 165:17 audience 53:23 author 76:15 76:21 104:13	authoritative 38:10 authority 36:2 authors 33:24 40:2,9 72:21 110:10 automatic 32:13 available 103:6 avoid 7:14 32:22 66:1 82:5 87:22 aware 39:13,21 57:13,15,18,19 102:19,24 172:15 awful 40:17 awfully 147:14 awry 36:19 axes 166:4	80:2 81:10 83:13 86:1 91:11 96:3 100:3 103:13 110:3 111:16 115:5,16 118:4 122:13,16 132:8 135:13 135:15 137:4 139:20 143:17 171:22 174:18 bad 16:14 141:14 165:25 166:14,16 170:2 171:15 bain 2:9 3:3 5:22,22 6:8,15 8:16 10:2 25:3 45:1 57:21 58:9 63:21 66:21 74:1,6 77:7 85:17 88:20 90:21,24 99:1 103:12 111:3,6,11 114:22 115:6 117:20 122:2 122:15 135:13 135:16 145:17 153:12 174:11 174:20 175:17 ballpark 164:6 bar 102:2	barely 117:4 130:24 base 3:17,25 4:15,18 58:5 58:14 77:4,11 122:11,23 145:15,22 154:8 based 11:24 18:21 22:21,21 24:17 26:9,22 27:11 31:21 32:10 35:15 50:25 59:9,9 61:6,9 70:9 71:12 74:12 75:24 76:12 78:19 83:7 87:3 97:12,16 98:11,11 106:3 113:10 116:22 124:6 126:2 136:23 137:9 139:5 141:4 144:4 153:20 158:21 159:8 161:9 162:1 165:9,14,22 baseline 125:4 141:18 bases 128:24 basically 21:19 33:13
--	---	--	---

basing 101:2	benefit 33:20	52:8 104:19	142:11 146:24
basis 17:18	72:7 73:9	138:21 169:25	147:3,7,24
37:23 39:25	benjamin 3:14	171:24	148:10 151:7,9
45:23 76:25	25:1,6	bigger 22:5	151:13 154:6
96:12 98:5	benzene 28:3	48:14 55:8	155:4
bear 21:20	89:3,15,22	91:20	blader 142:13
30:21	93:11,11 97:13	billion 67:12	blood 157:8
bearing 173:7	97:13 111:19	binning 49:11	body 12:14,23
bears 26:11	112:9 113:2,19	bins 49:21	28:16 69:16
beginning 1:18	114:9,16 116:3	biologic 51:6	71:24 72:13
5:5	117:8	51:24	175:10
believe 23:22	best 9:7 33:25	biomarkers	bold 120:13
31:5 45:3	84:6 104:10	167:14,14	book 45:4
56:20 60:21	beta 106:7	bird 3:21,23	48:24 52:14
63:13 73:12,17	better 43:18	4:3,4 66:18,24	55:25 87:20
82:1 87:5	48:15 60:6,12	74:4,9 85:15	104:3 132:8,13
109:2,3 110:1	62:4,7,21	85:20 88:18,23	159:12 166:19
120:22 131:12	136:17 138:9	111:3	borrowed 36:3
157:5 169:24	139:7 161:1	bit 12:19 19:2	boston 1:17 5:4
173:12	162:11 164:7	32:6 35:3	5:13
ben 2:11	166:5 168:4	36:17 44:20	bottom 25:16
benchmark	171:7,7 173:22	60:14 61:25	27:21 31:6
41:15 56:21	beyond 49:16	69:15 79:25	52:13 61:15
59:3 60:6,19	57:9 132:23	111:4 113:14	78:1 84:10
60:22 62:4,14	148:6	139:16 140:22	99:10 112:15
62:22 66:5	bias 11:16 12:9	141:14 143:21	154:5 166:22
87:25 88:3,9	13:4 21:10	155:15 164:7	bounce 139:16
88:13 101:5,23	23:1 101:15	bladder 3:13	170:5
103:7 146:6	160:10,18	4:3 24:25 25:5	bound 94:3
175:8	168:12	25:21 27:25	boundary
benchmarks	biases 171:20	85:15,20 86:10	61:25
87:23	bid 111:1	86:16 123:11	bounds 83:10
beneficial	big 42:2 47:5,8	123:16,25	bove 9:15 28:4
160:12	47:19 50:5	124:17 129:10	75:6 86:2 89:8

89:13 105:13 box 2:11 boy 57:6 173:15 brackets 70:20 89:14 bradford 47:11 47:22 51:14 98:7,18 135:17 170:10 break 8:8,10 44:18 57:21 114:21 122:2 174:12 brick 69:25 brief 174:23 briefly 173:25 bring 8:24 broad 172:19 broadly 98:2 111:19 126:16 broadly 44:4 71:15 broken 144:21 build 51:9 business 51:4	calculation 20:17 32:9 33:8 63:8 65:21 139:16 147:9 154:19 calculations 16:24 82:20 168:10 calendar 15:10 call 113:16 called 6:4 14:11 17:20 19:7 136:4 callout 146:9 calls 136:9,12 136:14 140:7 143:15 145:6 camp 1:4 3:13 3:17,19,25 4:15,18 5:14 24:25 25:5 27:21 28:2,2 57:4,15 58:5 58:14 63:18,25 67:16 68:17,18 72:2 74:17,18 74:21,22,24 75:8 76:16,24 76:24 77:4,11 78:14,17,20,21 80:12 83:1,3 84:15,22 85:6 86:4,9,15 89:11,12,17	122:11,23 123:12,17 124:1,16 125:16,22 126:11,14,22 127:3,12,24 128:3,14,18,19 129:3,4,9,11,11 129:22,22 130:6,7 131:3 131:4,10,10,15 131:17,17 141:25,25 145:15,23 146:20,20 147:3,7,24 148:13,18 149:12,17 150:4,12,17 151:6,6,13,14 152:10,10,15 152:15,19,19 152:23,23 154:1,1,11,12 154:13,14,14 154:15 172:5 172:12 176:7 177:3 cancer 3:13,16 4:3,4,14 25:1,5 27:25 51:23 58:2,11 75:7 85:16,21 86:3 86:10,17 88:2	88:18,23 89:18 89:21,23 90:7 90:12 91:12 93:1 97:16 105:14,19 107:25 108:17 110:11 122:9 122:20,24 123:11,25 124:17 125:14 126:12 129:10 130:6 142:20 142:24 146:5 146:24 147:7 147:24 148:9 148:10,18 151:7,9,13,24 154:6,6 155:4 155:24 157:8 157:11 172:17 cancers 3:19 25:21 61:18 63:18,25 66:25 72:21 112:10 123:16 125:22 cape 72:22 captioned 177:8 capture 139:11 139:12 154:25 captured 61:3 capturing 61:5 care 2:5
c			
c 115:19 calculate 14:4 15:6 32:13 33:5 35:9 calculated 31:22			

careful 48:12 61:10 82:15 carolina 1:2 5:17 14:23 75:9 176:9 carries 55:1 carry 162:11 164:16 case 6:23 10:11 10:17,23 30:2 49:25 51:11 53:19 70:19 71:15,16 104:19,20 110:2,2,4 111:2 118:11 118:16 119:17 121:16 133:23 158:12 160:24 172:25 173:7 173:10,14 174:2,3,5,9 cases 15:3,5 79:6 82:8,19 82:21,25 83:1 83:2,10 84:19 85:2,6 96:5 99:15,17 104:14 105:1 124:7 126:2 127:3 137:18 158:2,10,21 163:20	categories 106:10 153:23 158:13 categorized 81:16 category 100:24 108:17 108:25 109:4 causal 11:6,18 12:2,5,11 20:19 21:5,21 23:23 24:1 26:11,20,21 30:22 45:24 46:2,10,18 47:10 48:3,19 49:25 50:19,23 51:18 52:6,24 53:6,6 54:21 55:2 68:6 69:20 70:12 71:11,13 76:14 90:19 97:11 98:5,17 120:6 136:8,15,18 137:1 140:1,6 140:21 141:11 143:16 156:9 160:2 161:4 164:2 166:23 170:20 175:12 causality 45:10 45:15 79:2 101:4 132:20	135:18,22 141:3 causation 3:21 3:22 4:2,4,6,8,9 4:11,12 10:23 11:2 26:6 29:20,22 30:12 48:22 51:15 66:19,23 69:11 74:3,8 78:24 85:15,20 88:17 88:22 97:3,5 98:22 99:3 100:25 103:9 105:9 108:18 111:8,13 115:2 115:9 116:19 116:21 117:16 117:24 119:25 134:24 144:6 145:2,6 155:18 155:18 156:4 156:20 159:2 163:16,19 165:2 cause 15:16 43:7 74:25 76:17,21 101:13 113:3 114:16 134:18 137:10 176:7 176:15 caused 46:5 113:5	causes 21:1 97:20 caveat 64:25 caveats 136:20 156:10 ceiling 135:6 certain 13:2 15:5 18:10,16 19:17 41:15 56:2 65:14 81:17 104:22 105:2 106:11 106:12 117:12 135:8 146:9 161:18 173:10 certainly 15:24 17:17 32:21 34:9 39:8 40:18 43:6,14 44:11 50:18 57:13 60:8 61:9 75:23 110:18 117:6 139:14 151:19 157:7,10 158:17 certainty 175:6 certificate 176:1 certify 176:3,14 176:16 cervical 51:23 chad 2:3 5:24
--	---	--	---

chair 108:16 chaired 73:9 100:19 challenge 56:7 challenges 40:25 chance 42:22 43:13 92:8,16 139:2,3,5,8 158:8 169:2 change 35:1 44:17 106:22 178:3,5,7,9,11 178:13,15,17 178:19,21,22 changed 72:8 changes 177:9 177:13 characterize 50:11 54:15 121:15 charge 175:9 chart 128:17 chemical 102:10 129:8 165:3 167:25 chemicals 68:22 70:5 74:24 76:16 80:18 96:16 cherry 161:15 161:23 162:22 163:20 167:2 168:3	cholesterol 167:18 choose 40:20 60:19 ci 17:6 cir 58:24 citation 70:20 89:13 citations 63:13 cite 134:22 155:17 168:16 cited 8:24 37:25 109:10 citing 28:4 civil 1:6 civilian 3:16,24 4:15,17 58:3 58:12 75:7 77:3,10 106:25 122:10,22 145:13,21 172:16 civilians 67:10 67:17 68:17 74:18,20 80:11 86:12 87:6 clarification 8:2 clarify 8:1 154:11 173:22 clarity 139:24 classic 33:5 classified 72:9 100:19	clean 7:15 cleaning 108:10 clear 42:7 49:8 49:13,19 53:18 62:23 94:15,25 99:19 114:11 123:24 141:7 161:16 clearer 60:9 clearly 18:20 44:13,14 92:20 139:19 141:7 154:20 157:25 164:15 clinical 43:12 cll 118:17 157:22 close 18:1 46:24 65:1 67:13 73:19 94:20 100:13 103:13 105:6 147:12,14 166:17 171:9 closed 46:25 64:13 closer 135:10 171:19 clukey 1:14 5:2 5:21 176:2,23 cmcgowan 2:6 cml 157:22	coauthors 112:23 cod 72:22 coefficient 106:7 cohort 3:17,25 4:16,18 58:5 58:14 72:22 77:5,12 84:9 84:16 85:7 89:9,18 107:17 107:25 108:10 122:12,23 123:17 124:1 125:17,22 126:23 127:3 127:24 128:3 145:15,23 147:7 148:14 148:18 149:12 149:17 150:13 150:17 151:6,6 cohorts 128:23 colleagues 174:6,12 collecting 11:10 collective 101:9 101:19 collectively 109:20 colloquy 122:4 column 29:2,3 29:7 91:14,14
---	--	--	---

91:15 96:1 128:17 columns 78:12 79:4 combination 66:12 80:18 combinations 104:5 combined 67:11 come 32:3,25 34:23 54:19 162:6 163:2 comes 97:5 comfortable 116:18 coming 24:20 comma 99:15 comment 30:4 commented 57:5 63:3 77:18 comments 9:14 commission 176:25 committee 9:9 9:14 72:5,14 73:8 100:19 108:8,15,16 110:16 115:23 120:19 committee's 101:9	committees 103:4 common 84:21 commonly 17:17 45:9,14 81:8 132:19 139:25 166:23 communicated 54:6 174:1 communication 9:21 communicati... 9:4,11,18 community 73:16 comparable 85:7,11 94:5 129:7,7 compare 125:7 compared 28:2 50:1 67:16 80:11 116:8 151:14 154:11 compares 14:12 comparing 46:22 68:17 74:17 95:18 103:6 124:21 125:9 129:11 130:6 131:3,10 151:5 152:19 comparison 15:11 19:12	77:22 85:8,9 125:1 128:23 129:2,9 134:2 152:10,15 comparisons 168:24 compelling 140:14 141:13 compensation 102:12 complete 7:19 157:15 complex 21:15 complicated 48:20 complies 38:11 comply 38:5 component 43:16 compounds 93:2 105:19 comprehensive 113:17 120:7 computer 176:11 computerized 165:8 concentrate 82:9 concentrated 82:14 83:11 concentrations 89:16 96:15	concept 12:25 22:8,22 23:20 31:16 47:16 57:16 91:24 concepts 12:8 conceptually 14:18 22:5 32:22 60:9 concern 37:2 41:3 concerned 165:10 concerning 170:24 conclude 130:24 170:7 concluded 175:24 conclusion 134:11 conclusions 102:5 171:12 conclusive 101:25 108:23 condition 157:14,16 conditions 81:18 conduct 165:4 confidence 16:11 17:1,4 17:10,11,18,20 17:23 18:6 21:23 22:13,24
--	---	--	--

23:7 24:3,13	112:19 113:21	42:25 59:17	considers 98:14
26:14 27:5	114:1 116:3,10	70:8 73:21	consistency
28:12 29:10,16	116:16 118:18	75:23 82:2	12:24 169:19
31:1 33:3,11	119:4,9,22	94:10 108:22	170:9,13,16
33:11,21,23	121:2,3 124:4	116:21 129:17	172:1,21
34:2 35:9,15	126:1,3 127:7	130:11 158:25	consistent 24:9
48:17 50:9	128:5,7 129:23	162:8 163:3	31:5 51:6
51:9 52:11,17	130:15 131:24	166:3	137:2 142:17
53:8 55:14,17	132:9 133:14	considerably	constellation
56:6,13,14	146:8,11	137:9	160:9 169:15
57:16 58:25	147:16 148:25	consideration	consult 174:12
59:10,18 61:16	149:2,20,25	12:4 50:6 52:9	contact 9:6,13
62:4,25 64:9	150:20,22	59:23 65:23	9:17
64:16,22 65:8	152:4 153:1	104:7 135:11	contain 16:25
65:14,23 67:14	158:4,15	135:21 137:3	18:1,14 33:17
67:22,25 68:4	159:21	170:10	contained
68:19 69:4,7	confident 13:6	considerations	16:25 68:22
70:22 71:6,21	48:8 50:22,23	3:11 9:24 10:6	70:6
72:24 73:18,21	53:2	12:3,20 21:6	containing
74:19 75:12,17	conflates 41:20	21:19 29:24	35:16
76:7 78:5,22	confounder	41:16 47:12,21	contains 34:3
79:1,5 80:24	21:16 166:7,10	47:24 48:4	contaminant
81:5,24 82:1	confounding	51:14,17 55:13	89:16
82:17,18 83:14	11:15 12:8	66:15 98:7,19	contaminants
83:18,21,24	13:3 16:2	135:18	3:19 63:18,25
86:11,13,24	21:10 22:25	considered	89:22 124:16
87:11 88:4	23:13 30:15	34:23 64:14	126:11 127:12
89:19,24 90:1	42:1 43:19,24	85:7 100:12	128:13 147:23
90:2 93:19,25	46:13 120:25	107:6 110:19	150:3
95:11 99:15,16	160:17	112:24 120:21	contaminated
103:23 105:4	connection	132:10 157:9	3:24 4:15,18
105:21 107:1	9:19	160:20	68:21 70:5
108:1,4 109:13	consider 21:9	considering	75:8 77:3,10
110:12,23	33:24 39:1	11:15 30:19	86:4 89:12,13

122:11,22	controlled 37:4	28:13 29:12	124:10 125:19
145:14,22	controlling	31:10,15,17,18	126:1,7,8
contaminates	14:14	31:23,24 32:1	127:9,10,13
90:12	conventional	32:5,17 34:5	128:4,5,11
contamination	30:18 124:12	45:16,17,19,24	129:15,24,25
120:10 125:2	128:10 168:12	45:25 47:1,6	130:3,4,17,21
context 26:12	conventionally	48:23 49:1,2,4	131:14,19,25
26:14 50:18	61:23 127:10	49:17 50:2,3	132:1,5,11,12
63:10 72:12	conventions	52:11,18,19	132:20,21
75:25 82:7	24:10	53:14 56:16	133:6,12,13
98:2 143:19	convey 16:19	60:23 65:9	134:6 135:22
175:4	18:22 55:23	69:5,6,9 72:3	136:4,5,10,16
contexts 102:23	conveying	73:5,6,19,20	137:2 140:3,4
continue 34:21	82:16	74:14 75:16	140:9,24,25
continued 4:1	convincing	76:21,22 80:25	142:15 143:13
continues 35:6	32:20 49:25	81:2,3 82:22	144:19 146:14
38:13	51:10 53:14	83:25 85:8	146:22,23
continuous	59:8	86:23 87:1	147:8,25
81:17	copy 175:23	88:10 90:9	148:14,15
continuum	corps 3:17,24	91:25 92:3	149:5,13,14,19
23:11	58:5,14 77:4	93:23,24 99:9	150:13,14,19
contract 111:2	77:11	103:22,24	150:20,25
contrast 71:20	correct 6:24,25	104:17 107:11	151:3,10,11,20
99:20	7:6 9:21 10:9	107:13,14	152:2,7,12,25
contribute 97:7	10:19 11:7,8	108:14 109:11	155:2,3 156:9
contributes	11:12 12:7	109:12,13,15	156:17,18,21
98:10 104:8	13:15,23,24	109:16 110:22	157:18 159:23
contribution	14:15 15:1	110:23 112:6	160:5,6 161:16
26:17 70:3	16:11 17:2,3,7	113:22 114:1	167:9,10 172:6
control 19:13	17:16,20,21	115:25 116:15	173:4,5
19:24 20:5,13	18:2,3,9,12	116:16,17	corrections
70:19 110:2,4	19:8 21:12	119:7,20,21,23	7:21 177:10
118:11,16	23:2,10 25:11	121:9 123:18	correctly
119:18 166:6	26:15 27:16	123:23 124:2	113:24 124:24

correspond 60:25 77:17 78:7 93:15 corresponding 80:24 136:25 couching 71:7 counsel 5:19 173:18,23 counterparts 68:18 counting 162:14 course 12:24 26:24 32:7 47:20 51:24 53:20 84:21 105:3 125:6 139:9 171:17 court 1:1 5:16 5:21 6:17 7:8 7:15 176:8,24 courthouse 1:16,16 5:4,4 5:12 courtroom 6:18 covered 104:3 covering 121:17 covers 43:20 cox 99:12 create 92:13 creating 165:18 credibility 19:2	credible 59:8 62:1 criteria 47:22 49:12 51:25 59:7,11 101:18 102:14 criterion 39:23 40:14 41:11 45:21 130:23 critical 40:20 crops 36:5 cumulative 81:16,17 89:20 90:11,14 105:18,20 116:7 121:4 current 6:12 curve 106:20 106:20 cutpoint 60:15 65:22 100:14 131:1 cutpoints 66:2 87:23 cv 1:6	106:6 114:10 137:21 141:4 158:18 167:4 172:16,17 173:12 date 5:10 77:15 175:25 178:24 dated 3:14,20 25:1,6 63:19 64:1 145:25 david 1:11 3:1 5:18 6:4,11 10:8 176:4 177:25 178:25 day 38:14 176:20 177:19 dc 2:12 deal 104:1,19 107:9 121:17 138:1 dealing 71:17 deals 75:6 dean 4:12 117:17,24 death 15:16 107:25 147:24 150:4 151:13 152:23 deaths 15:5 27:25 78:20,21 147:6 148:17 149:16 150:16 152:15	december 3:14 25:2,6 decide 60:3 63:5 159:7 decided 146:16 decision 54:23 88:15 98:9 declaration 32:14 35:14 43:2 44:10 62:17 65:22 177:5 declare 16:17 23:16 33:9,10 177:6 declaring 31:20 declining 37:15 decrease 124:8 decreased 31:9 149:23 150:24 deem 98:8 default 135:11 141:18 defendant 1:9 2:9 define 44:4 72:21 101:11 defined 32:3 96:11 106:8 124:13 127:10 128:11 157:13 definitely 173:19
	d		
	d 1:14 5:1 17:20 176:2,23 data 11:10 23:14 24:17 37:10 42:21 44:11 84:6 89:22 91:8		

definition 130:25 147:19 149:6 151:3 152:17 degraded 33:13 degree 13:10 23:18 55:9 60:25 92:10 93:8 94:14,15 139:13 158:22 175:5 delahunt 110:7 deliver 23:16 delivered 11:23 demand 44:13 demands 41:4 demographic 14:14 demonstrate 46:6 demonstrates 72:25 department 2:10 depending 137:17 160:18 depends 21:5 39:24 53:20 54:23 69:12 164:3 175:13 depicted 17:6 deponent 3:1 5:18 6:2 175:22 176:5	deposed 6:23 deposes 6:5 deposition 1:11 3:10 5:1,12 7:19 8:14,14 8:18 9:23 24:25 44:23 58:1,2 63:16 66:18 74:3 77:2 85:14 88:17 90:22 98:22 103:9 109:6 111:4,8 115:2 117:16 120:17 122:7,8 145:12 153:9 173:24 174:17 175:23,24 176:9,18 177:1 177:7,11 178:1 depositions 7:7 describe 42:13 44:13,14 68:8 105:25 described 17:9 19:23 94:7 describing 35:13 44:15 68:2 97:23 119:14 153:24 155:8 169:15 description 3:9 design 164:17 165:11	designed 17:23 18:9 designs 51:5 despite 36:12 detail 17:2 57:11 59:6 60:18 96:13 109:5 148:2 172:5,7 detailed 35:24 57:13 102:25 details 32:23 84:23 87:11 144:1 154:10 172:11 detect 171:4 detecting 139:8 determinant 160:15 determination 32:12 determine 102:20 145:5 162:4 determined 163:1 determining 16:24 33:6 diagnosis 160:17 dichotomies 59:18 dichotomizat... 32:15	dichotomize 31:20 32:14 35:22 37:12 40:21 87:24 dichotomizing 137:14 dichotomous 32:10 dichotomy 33:16 34:12 132:25 137:9 138:10,24 139:11 140:11 died 76:23 differ 16:1 difference 13:19 15:13 32:6 95:1 166:11 170:1 differences 145:9 170:18 different 12:16 12:20 14:7,18 15:2 16:6 18:15 20:20 29:23 30:13 33:14 35:4 36:5,6 51:5 84:4 87:11 94:8 95:20 96:16 98:19 101:4 102:7,8 104:21 125:5,9 129:4 143:25
---	---	--	--

144:20 167:5 167:16 169:11 170:15 171:17 172:13 differently 130:24 dimensions 66:11 169:8 direct 118:9 directed 139:20 direction 38:7 68:9,13 69:17 117:14 160:4 164:1 169:1 director 9:10 discerning 52:17 discordant 138:4 discouraged 38:4 39:25 discovered 51:22 discuss 120:10 discussed 17:1 30:13 50:8 52:8 81:3 88:2 132:2 134:14 141:23 174:5 discusses 118:24 discussing 77:18 81:12 107:17,19	110:1,1,2 115:16,19 120:24 discussion 173:25 175:21 disease 3:23 11:16 12:9 13:20,21 14:13 15:1,15,17 20:7,7,17 46:22 52:3 64:8 74:5,9,22 75:1 76:18,24 78:2,20,21 79:9,11 80:15 82:4 83:6 84:21 133:17 134:10 146:20 160:17 diseases 3:20 63:19 64:1 78:1 100:24 102:21 113:13 114:16 146:9 disinterested 176:14 dismiss 117:11 dismisses 62:12 distant 114:21 distinction 93:5 164:10 distinguish 51:17 52:15 158:19	distinguishing 48:21 52:6 65:2 district 1:1,2 5:16,17 176:8 176:9 divide 165:15 divided 56:14 157:2 dividing 55:11 64:25 division 5:9 54:2 documented 135:7 documents 8:21 doing 22:10 35:2 36:10,13 106:17 138:12 138:13 154:8 162:24 168:23 doj 111:1 dominant 37:2 dose 43:23 83:8 92:13,15,18,25 95:14 96:6,19 96:22,22 106:19,20 132:10,16,23 134:10,21,23 135:17,20 136:4,7,12,14 137:3,5,10	139:21 140:6 142:4,9,14,23 143:12,14 144:17,24 153:19 154:18 155:5,25 156:17,25 157:17,23 158:24 169:10 doubling 74:23 doubt 113:24 dr 3:21 5:18 6:15 8:12 10:3 25:4 45:2 58:10 63:22 66:18,22 74:7 77:8 88:21 115:7 122:16 174:20,23 dramatic 50:19 draw 59:20 126:16 171:12 drinking 3:17 3:19,24 4:15 4:18 58:4,13 63:17,24 75:8 77:3,10 86:4 122:11,22 145:14,22 drive 2:5 161:2 driven 162:17 162:18 drug 36:15
---	--	---	---

dry 108:10 due 46:13,14 52:7 169:5,6 duly 6:4 176:16 duration 85:5 141:24 142:6,6 142:6,7,8,18 143:7 145:3 153:20,21,21 154:9,11,12,13 154:14,21,22 155:9,16,20,22 156:7,7 157:20	20:4,12,19 21:1,2,11,22 23:1,9,23 24:1 26:21 30:22 34:4 43:7,20 45:24 46:2,10 46:18 47:10 50:1,23 54:21 54:25 59:4 64:11,11 70:12 76:14 90:20 120:6 129:19 135:5 136:8,12 136:15,19 137:1 140:6,21 143:16 158:24 159:25 161:4 163:25 165:3 173:3 effective 154:20 effectively 21:17 effects 51:6,18 92:23 114:9 135:7 effort 84:5 87:24 96:17 efforts 16:3 eight 131:15 either 13:17 14:16 27:10 35:15 41:22 42:4 65:18	89:11 96:21 108:17 121:8 134:15 144:24 162:25 163:19 168:25 170:6 elaborate 12:19 137:13 141:1 167:11 elevated 25:25 28:4 42:12 49:21 52:21 54:12,13 70:17 70:21 79:8 89:18 93:8 94:14 95:16 107:8 108:1 109:21 110:11 118:16 121:15 elevation 71:20 73:24 82:13 103:25 107:7 122:1 elevations 50:16 emerge 139:19 emphasize 161:24 employees 3:24 75:7 77:3,10 106:25 enables 32:11 encouraged 34:10	ended 36:14 enforce 38:6 ensminger 9:15 entire 177:7 entitled 10:5 45:8,18 70:14 77:9 132:15 141:24 entrusting 41:1 environmental 160:14,23 161:5 epidemiologic 36:20 37:5,10 38:22 43:6 113:11 168:13 epidemiologi... 3:11 9:24 10:6 11:5 13:13 16:10 34:6,15 34:15 38:15 39:22 43:12 44:1 63:1 115:17 155:1 159:19 epidemiologist 21:9,24 37:17 37:19 epidemiologi... 35:18 37:12 102:4 epidemiology 3:15 19:6 24:2 35:22 39:4,4,5
e			
e 2:10 120:10 e.g. 64:12 133:3 earlier 25:15 50:8 81:3 120:16 132:9 141:23 146:6 easier 51:9 53:15 eastern 1:2 5:17 176:8 easy 53:24 editor 35:10 editorial 38:2 editors 35:19 38:10 39:25 40:3,9,22 effect 11:18 12:2,5,11 16:20 19:12,24			

39:6,10,12,17 39:18,18 44:24 45:5 46:4 81:6 160:14,23 equal 48:5,7 54:25 58:24 59:1 64:17,23 88:5 146:7,9 146:12 147:14 156:12 164:9 equally 162:9 equals 105:23 equation 125:3 errata 177:1,11 178:1 error 3:12 9:25 10:7,16 11:16 11:17 12:5,10 15:19 16:2,3,9 16:18,23 19:19 21:11,11,14,25 22:4,11,22 23:1,19 24:23 27:1,7,14,18 30:14 36:14 37:1,5 41:18 42:25 43:17 46:14,14 92:4 92:12,22 101:15 137:8 139:13 160:11 169:6 173:4 errors 31:9	esq 2:3,4,9,10 essentially 32:16 131:8 147:10 151:17 151:18 established 101:14 168:19 estimate 17:9 17:12 19:9,14 19:22 20:1,3 22:16,20 24:17 24:23 29:7,11 29:18 36:12 42:3 50:6 55:21 56:18 59:9 64:12 78:7 79:5 84:1 104:1,4,9 106:10 109:21 110:20 116:6 119:3,8,11,20 138:5 139:15 142:18 170:22 estimates 28:13 29:16 62:4 64:11 92:23 103:16 121:8,9 138:2 estimating 171:8 estimation 143:24 et 70:17 72:19 100:4 107:19	112:2 evaluate 11:20 48:2 evaluated 76:12 161:10 evaluating 3:12 9:25 10:7 26:20 132:24 161:7 evaluation 4:14 4:17 41:11 52:14 103:2 122:9,20 145:12,20 evasive 62:9 event 176:15 everybody 38:11 101:4 138:15,16 evidence 3:19 26:5,18,22 29:22 45:19,22 46:21 47:18 48:13 54:20 63:17,24 66:10 66:16 68:20 69:14,16 70:4 71:24 76:2,13 79:16 87:16 97:6 98:15 100:25 101:1,2 101:10,13 103:7 107:7 108:18,18	113:11 114:9 114:16 116:22 121:25 127:14 132:15,23 134:5,13 135:4 137:5 143:22 144:11 160:3 163:1 164:1 169:16 175:10 evolution 35:21 36:3 evolved 35:3,25 113:14 evolves 35:2 exact 121:20 137:25 165:11 175:14 exactly 18:24 20:15 33:15 41:22 75:18 127:5 157:12 examination 3:2 6:7 175:1 examine 83:8 165:14 examined 57:11 examining 90:15 138:8,9 140:12 example 13:1 50:20 54:19 97:12 102:20 140:17 167:17
--	---	---	--

171:1 examples 167:13 excellent 164:24 except 177:9 excepting 79:13 exception 38:21 excerpt 4:14 25:13 67:6 122:8,20 excerpts 3:15 3:18 4:2 44:23 45:4,4 63:16 63:23 85:14,21 excluded 101:15 excludes 124:13 excluding 157:14 exclusively 173:5 excuse 99:3 121:11 136:13 exercise 82:7 135:1 exhibit 3:8 4:1 8:13,14,21 9:23 10:4 24:25 25:5,14 25:15 28:23	30:23 44:23 45:3 58:2,11 63:16,23 66:18 66:23 74:2,3,8 77:2,9,18 78:8 79:24 80:2 81:10 85:14,19 88:17,22 90:22 90:25 91:1,2 93:15 98:22 99:2,3 103:9 111:8,12 115:2 115:8 117:16 117:23 122:8 122:19 123:4 132:8 141:20 145:12,19 153:8,9,13 159:12 exhibits 85:13 98:21 111:7 exist 104:5 exonerated 21:4 expect 44:12 95:24 103:5 141:12 170:14 170:18,18,25 171:15,15,25 expectation 100:2 141:18 expected 15:3 114:11 157:25 172:3	experience 15:11 101:22 102:1 experienced 15:7 experimental 36:4 experiments 37:7 expert 3:13,21 3:22 4:2,4,6,8,9 4:12 7:4 17:6 25:1,6 66:19 66:23 74:4,8 85:15,20 88:18 88:22 98:23 99:3 103:10 105:9 111:9,13 117:17,24 162:19 163:14 165:2,4 experts 10:23 11:2 174:1 expires 176:25 explain 161:20 162:13 164:24 171:13 173:8 explainable 164:20 explained 164:13,14 explaining 173:9	explicit 102:13 explicitly 38:1 exposed 3:16 3:24 4:15,17 13:21 19:12,24 20:5,12,18 26:1 46:6,23 46:24,24,25 58:4,12 67:10 72:22 75:7 77:3,10 86:3 95:19 108:12 112:17 116:2,3 121:2 122:10 122:22 125:8 145:14,22 exposure 4:5 11:16,19 12:9 20:9 21:1,1,4 21:11,14 23:1 23:9 26:1 28:3 36:9,25 43:10 68:21 70:5,23 80:12,13 81:16 81:17 82:10,13 82:14 83:11 86:14 89:20 90:3,11,14,16 90:18,22 91:2 91:6,13,14,15 91:18 92:7 93:6,6,11 94:10,18 95:1 95:1 97:13
--	---	--	---

99:13 105:17 105:18,20 106:11,16,23 108:3 112:9,16 116:7 117:7 121:5 124:16 125:7 126:11 127:12 128:13 129:3,8 132:25 133:2,8,16 134:3,3,4,5,9 136:21,24 137:15 138:9 138:10,10,11 138:11,25 140:11,13,22 140:23 141:6,9 143:24 144:2,3 145:8,10 147:23 150:3 154:23,25 156:11 160:13 160:16,24 161:6,11 164:21 165:16 166:5 171:2,8 exposures 11:7 11:11 46:5 70:18 116:24 139:1,10 140:18,20 extent 11:21 20:8 21:20 23:12 60:15	69:18 98:11 127:15 169:6 extrapolation 37:7 extremely 83:23 eyeballing 143:5 f face 125:24 127:5 facility 100:5 fact 7:1 40:7 78:19 87:4 93:25 96:14 124:8 137:24 143:2 159:6 170:16 171:14 172:25 factor 12:1 13:3 21:5 84:19 160:18 160:25 161:8 170:24 factors 11:15 11:25 12:10,22 14:14 15:10,25 21:8 23:3,6,18 30:13,21 37:6 47:19 48:18 59:17 60:10 121:1 135:19 136:22 160:7	160:20 161:7 175:13 facts 104:6 factually 92:2 failing 24:12 fair 8:3,10 18:4 175:3 fairly 103:25 113:1 114:1 142:15,16 158:16 173:1 faith 161:16 fall 18:5 familiar 26:8 30:3 45:3 55:14 62:25 71:1 96:14,17 102:14,17 157:4 familiarity 22:9 96:6 172:9 far 39:11,19 84:7 140:14 174:2 favor 135:21 feasible 55:5 features 22:12 26:16,23 27:3 federal 1:16 5:3 feel 54:5 felder 2:4 felsher 4:13 117:17,24	females 99:20 167:20 fertilizers 36:5 fewer 105:1 123:16,25 127:2 128:2 147:6 149:16 150:16 152:14 field 24:10 35:22 39:2,11 fields 36:6 figure 78:8 98:16 105:22 168:8 file 91:5 final 78:12 finally 127:21 150:9 find 33:21 41:5 104:24 124:11 129:6 134:1 135:2 161:24 162:21 163:15 163:23 166:2 166:10 168:16 171:17 finding 26:10 59:4 68:15 112:24 113:20 113:22 114:4 126:16 135:21 145:2 148:7 158:6 159:17 163:17,19
---	---	---	--

findings 12:24 40:3,4 57:17 66:7 67:9 74:23 76:15 86:14 88:8 109:17 116:18 159:24 168:25 171:17 172:21 finds 159:9 fine 16:13 finish 8:9 first 9:3,5 11:4 16:21 45:18,21 53:2 60:8 72:17 74:16 78:25 111:16 118:10 119:5 120:23 132:22 137:7 154:5 159:7,19 fits 117:9 five 24:20 78:19 82:21,23 82:25 83:1 85:2 99:15 123:22 162:14 flagged 61:6 flat 94:24 focus 50:14 69:14 78:25 161:14 162:1 163:10,11 166:12 170:3	focused 11:6 31:16 focuses 162:19 focusing 92:2 161:18,20 163:18 172:14 follow 85:5 142:19 160:19 follows 6:5 89:14 footnotes 99:11 foregoing 176:12 form 21:14 46:21 168:2 176:11 formal 18:24 22:7 37:14 104:21 130:25 147:19 formalities 36:8 71:8 formally 91:22 143:4 162:25 formed 76:25 forming 104:18 forms 13:18 forth 91:11 fortunately 37:15 found 15:6,7 18:13 70:21 74:18 86:8 99:12 105:17	112:14 113:10 163:18 167:8 four 82:8,19,24 83:2 85:6 139:17 158:12 fragile 70:11 79:22 frank 9:15 franklin 2:11 free 23:17 freedom 38:24 frequency 46:22 friday 1:17 5:5 full 6:9 31:12 60:5 64:6 72:17 74:16 118:10 176:12 fully 106:15 143:19 function 85:4 further 11:9 134:1 174:21 175:17 176:14 176:16 furthermore 89:20 future 55:3 114:21 g gathering 165:20	general 3:21,22 4:2,4,6,8,9,11 4:12 10:22 11:1 14:13,23 15:8,24 17:8 30:1 44:11 47:15 53:21 63:2 66:19,23 73:15 74:3,8 85:14,19 88:17 88:22 90:16 98:22 99:3 103:9 105:9 111:8,13 115:2 115:9 117:16 117:24 123:17 124:1 125:23 127:4 128:3 147:8,11 148:19 149:18 150:18 165:2 generally 13:17 14:20 24:6 27:9 31:1 32:19 34:14 95:16 112:11 112:14 144:16 156:24 158:15 generate 23:21 161:25 167:22 169:24 170:15 171:10 generating 137:16
--	--	---	--

generic 53:19 62:6 generically 14:3 getting 14:8 18:17 36:14 37:21 82:19 87:10 100:13 111:6 117:2 144:1 157:20 158:1,3 166:17 give 8:6 19:2 20:24 24:16,18 26:9 34:11 55:19 62:6 63:13 75:25 78:22 83:24 93:17 94:22 133:22 given 7:19 13:2 17:15 19:22 20:16 27:15 29:7 33:6 53:19 66:15 70:1 72:11 82:1,16,17,17 84:7 92:25 103:2,7 126:3 127:7 128:7 144:9 149:2,24 150:22 152:4 158:3,7 160:21 169:12 176:13	gives 36:17 giving 121:25 135:10 163:12 glancing 61:7 glean 87:9 glimmer 167:7 168:16 glimmers 168:7 go 30:9 37:4 47:11 52:20 80:2,4 81:12 84:7 91:20 103:13 106:12 109:17 114:22 133:8,14 137:4 139:20 143:6 148:6 157:20 161:13 170:17 173:22 goal 26:19 55:9 goes 42:10,14 48:17 87:15 91:13 120:9 141:8 going 5:7 26:21 29:25 38:19 41:8 42:24 43:14 44:12,19 44:20 55:3 57:6,23 58:7 59:21 60:13,15 60:18 62:2 75:12 76:11 86:1 93:17	98:1 114:24 115:5 118:21 121:14 122:5 122:13 141:14 159:7 161:2 164:16 168:16 171:3,8,10,22 174:14,18 175:19 golkow 5:9 gondek 4:11 115:3,10 good 6:9 24:2,8 24:9 38:22 43:3 64:14,20 87:8 114:21 121:25 129:20 130:14,21 144:2 147:18 150:21 159:9 165:25 166:14 166:16 170:2,3 170:4,17,25 171:9,15 government's 11:1 graded 90:17 133:25 134:19 135:11 139:6 139:12 142:19 gradient 92:11 92:19 94:15 95:14 106:1 132:16,23	136:4,7,9,14,25 137:6,10 139:22 140:6,7 144:7 158:6,9 gradients 42:1 43:23 136:24 169:10 grappling 32:23 great 104:1 107:9 121:17 138:1 greater 19:11 19:23 20:4,8 31:23 33:10 48:13 49:22 50:14 51:19 55:1 58:24 88:4 117:4 131:15 139:4 146:7 148:2 150:23 151:12 152:22 154:25 155:23 156:6 greatest 112:18 greenwald 2:4 5:25,25 grips 32:25 group 14:13,20 14:21,23 15:7 19:12,13,24,24 20:5,5,12,13 82:23 95:19,19 96:21 129:9
---	---	---	---

134:2,3,4,5 138:15,16 141:9 144:16 154:2 155:9,16 158:11 161:1 164:9 168:20 groups 51:1 70:23 85:10 95:2,17 103:2 123:22 138:14 138:19 143:25 145:4,10,10 growing 36:24 guess 20:14 41:12 61:14 95:6 104:10 119:1,13,15 173:21 guidelines 18:20 39:14 53:19	happened 94:23 happens 35:1 hard 26:9 27:12 30:4 36:1 94:20 141:11 harder 49:25 harmful 133:21 133:22 140:23 hazard 14:6 28:5,5,6,10 29:6 58:23 74:19 78:4 80:13,16,19,23 81:5,15,22 82:2 84:2 89:24,25 90:2 91:13,23 92:5 93:22 94:1 99:14 116:2,9 146:7 154:21 155:21 hazards 168:19 head 7:11 60:24 63:4 109:8 173:20 headings 153:22 health 2:5 11:7 11:11,19 43:11 57:3 140:19 167:16	healthy 36:14 held 5:12 57:25 114:25 122:6 174:16 175:21 help 23:14 48:2 50:11 81:11 helpful 14:25 20:22 78:23 104:16,24 124:11 166:2,3 169:11 helps 21:24 98:16 151:2 hematopoietic 66:24 112:10 118:5 157:11 hereof 177:11 hesitant 72:4 hesitate 113:16 hesitation 68:5 69:10 71:11 high 28:6 70:23 85:3 90:2 91:15 93:7 96:11 105:20 121:18 133:3 133:10 134:4 137:21 138:11 138:15,16,18 138:18 140:11 140:19 141:10 142:6,7,16,16 153:21 154:14 155:9,16,20	156:7 157:19 higher 44:12 46:7 74:21 82:10,12,14 83:11 86:9 90:17,17 94:19 95:4 102:2 106:25 116:6 116:24,25 133:1 137:15 139:4,10,10 143:7 144:2 149:25 155:15 157:20 164:4 171:19 highest 112:17 116:7 121:4 141:8 highlight 40:4 60:3,20 63:6 146:16 highlighted 61:9 highlighting 38:3 59:12 highlights 62:12 highly 42:12 hill 2:5 47:11 47:22 51:14,16 98:7,18 135:17 137:3 170:10 hints 68:13
h			
halfway 17:22 25:24 89:5 hampshire 6:14 hand 165:13 171:11 175:6 176:19 handed 25:4 58:10 105:8 happen 163:2			

historic 31:25 historical 36:3 historically 31:19 history 35:20 35:24 108:3 hmm 34:20 54:16 91:10 95:8 123:21 166:1 168:14 hodgkin's 4:13 100:19 117:18 117:25 126:19 127:2,13 143:8 143:11 149:8 149:11,16 150:4 156:14 156:16 hodgkins 66:25 holistic 66:4 98:6 honest 40:11 112:12 honestly 40:16 65:12 100:9 114:7 173:19 hood 2:4 hope 49:8,19 hormone 167:25 hormones 167:19 howard 4:7 98:23 99:4	hr 70:21 89:19 116:2 hu 4:7 98:23 99:4 huh 169:22 human 51:22 hypothetical 167:17 171:1 i idea 16:19 38:6 43:1 48:4 74:24 75:19 76:16 85:10 ideal 125:6 ideally 29:23 65:20 identical 33:17 identification 8:15 10:1 25:2 44:25 58:6 63:20 66:20 74:5 77:6 85:16 88:19 90:23 98:24 103:11 111:10 117:19 122:12 145:16 153:11 identified 26:2 28:1,7 58:23 85:19 108:11 110:19 115:3 identify 57:17 146:9 165:9	identifying 30:19 59:13 62:8,22 165:6 ignore 163:14 163:17 ignores 162:20 illustration 130:22 137:24 illustrative 49:9 illustratively 49:5,6 imagine 44:4 111:5 impact 16:3 27:14 29:14 31:8 implicitly 97:20 importance 27:2 29:13 important 7:9 13:8 28:15 32:25 41:16,25 51:13 54:21 124:12 158:25 160:22 161:6 161:19 166:7 168:21 169:7 impose 40:11 impossible 72:11 imprecise 42:12 52:20	54:11,15 56:3 68:12 104:4 109:22 imprecision 57:1 70:1 73:25 84:3 92:20 104:1 107:9 121:17 138:1 158:7 impression 104:18 improved 55:3 inaccurate 163:3 164:23 incidence 3:16 4:14 14:11,12 14:17,25 15:13 15:15,22 24:4 24:14 58:2,11 86:3,9 88:2 122:9,21,24 123:6,11,25 125:16 126:14 126:22 127:23 131:16 146:5 151:12 172:17 incident 15:1 15:14 include 21:9 24:2 39:22 81:4 169:9 included 73:17 includes 17:12 39:3 61:15
--	---	---	---

98:18 including 23:19 30:10 70:18 81:5 92:13 175:5 inclusive 60:13 60:22 61:14 inconsistency 170:19,23,25 171:14 172:22 inconsistent 171:12 incorporate 100:16 increase 46:5 49:3,7,18 67:16 68:23 70:7 71:23 75:20 82:4 83:6,25 87:7 87:18,21 91:21 110:10 125:12 129:22 133:11 134:9,10,22 148:21 increased 42:16 72:25 80:14,17,20 89:23 97:15 107:24 108:3 126:6 127:20 128:15 130:2 increases 31:9 49:22,23 86:16	90:6 133:16,17 increasing 94:16 114:15 143:7 increasingly 35:12,17 increment 50:24 94:19 106:11,22 increments 50:21 independence 40:9 independent 87:5,24 independently 34:1 91:9 indeterminate 135:25 index 3:8 4:1 indicate 64:10 84:14 125:11 125:25 126:18 127:19 128:15 indicated 11:25 22:3 27:9 28:17 29:2,10 39:16 52:10 84:10 87:20 92:6 134:20 146:25 175:22 177:10 indicates 43:9 48:25 83:1	117:2 indicating 19:15 65:14 74:20 84:15 163:24 indication 43:8 52:21 53:12 54:11,13,17 64:20 127:17 155:8,15,22 indicative 23:25 indicator 22:11 57:2 142:9 indicators 54:21 individual 38:20 39:24 98:3 109:19 138:2,5 individuals 9:5 84:22 86:3,8 112:17 121:3 industrial 3:17 58:4,13 industry 115:20 inevitable 167:6 infer 26:5 46:2 46:10 47:9 48:22 159:2 inference 11:18 12:2,5,10	20:25 26:11 29:20,21 30:12 46:4 48:19 52:25 53:6,6 55:2 68:6 69:11,21 78:24 97:3,4,11 116:19 119:25 134:23 141:12 155:18 156:4 156:19 inferences 27:12 97:23 126:16 141:3,4 175:12 inferring 45:24 71:11 135:18 inform 13:10 23:15 informally 162:25 information 20:25 24:19 26:13 27:19 29:19 33:25 34:12 42:7,25 44:5,7 62:19 97:10 104:16 106:15 108:22 127:15 informative 21:21 24:11 34:24 79:4 119:10 138:20
---	--	---	---

159:8 162:16 165:7,18 169:16 171:14 173:12 infrequently 63:9 inherent 16:14 52:1 inherently 66:11 initial 57:8 initially 165:10 insight 166:15 insignificant 66:6 instance 7:1 128:22 insufficient 29:19 integrated 95:5 integrating 138:6 integration 66:9 intended 49:20 intent 48:1 129:6 intention 85:9 interest 47:4,8 62:16 78:2 90:15 interested 47:18 125:7 154:20	interesting 55:20 61:22 62:16 79:3 intermediate 140:18 international 39:5,17 interpret 36:11 37:10 38:3 42:24 47:17 96:2 106:15 159:3 168:10 interpretation 18:25 20:20 23:20 36:18 37:8 50:13 114:8 interpreted 84:2 135:4 175:7 interpreting 11:24 42:8 109:19 159:3 173:11 interrupting 7:14 interval 16:11 17:1,4,10,10,11 18:6,16 21:23 22:13,25 24:3 24:13 26:14 27:5 28:12 29:10 33:16,21 33:23 34:2	35:15 50:9 55:14,17 56:6 56:13,14 57:17 58:25 59:10,19 61:16 62:25 64:16,22 65:9 65:14 67:14,23 67:25 68:4,20 69:5,7 70:22 71:6,22 72:24 73:18,22 74:20 75:13,17 76:7 78:5 79:5 81:24 82:1,17 82:18 83:15,18 86:12,13 88:4 89:19,25 90:1 90:3 93:25 95:11 99:15,16 105:4,21 107:1 108:1,4 110:12 110:23 112:19 113:21 114:1 116:4,10 118:18 119:4,9 119:22 121:2,3 124:4 126:1,4 127:8 128:5,8 129:23 130:15 131:24 132:10 146:8,11 147:16 148:25 149:2,20,25 150:20,23	152:5 153:1 intervals 17:18 17:20,23,25 18:13 29:17 31:1 33:4,11 33:12 35:9 52:11 62:4 64:9 80:25 81:5 83:21 86:24 87:12 93:19 103:23 109:13 116:16 158:4,15 introduce 5:19 introduced 13:3 intuitive 18:8 104:18 141:18 intuitively 54:9 61:4 139:17 inverse 143:2 155:25 invert 156:17 investigated 70:17 invoices 173:17 173:22 involved 50:13 isolate 169:13 isolated 26:10 29:25 30:5 68:15 126:16 136:23 144:10 148:6
--	---	--	--

isolation 12:17 13:12 69:13 97:2 117:11 126:13 128:15 144:8 145:3 150:8 issue 13:5 19:17 21:13 24:12 28:15 32:16 33:15 39:20 42:2 52:5 59:21 65:4 125:2 133:24 171:6 171:25 172:24 173:6 issues 21:15 34:19 41:24,24 55:23 italy 25:22 item 9:8 items 30:9	job 161:2 164:22,24 166:5,6 john 1:15 5:3 johnson 111:1 111:5 joint 59:11,23 joseph 1:15 5:3 journal 35:10 35:19 38:10 39:3,5,17,18 40:13 41:4,10 44:8,12 journals 34:16 37:25 38:15,16 39:2,6,9,11,21 40:17 44:1 judge 12:17 26:17 54:1 judging 175:11 judgment 30:21 32:10 45:9,15 46:16 51:20 76:14 98:8,13 101:10 101:19 120:8 124:21 125:3 132:19 140:1 148:3 166:24 judgments 13:10 102:9 june 176:20 justice 2:10	k keep 44:18,20 81:11 90:25 keeping 53:21 key 93:5 161:22 kidney 4:4 88:18,23 89:18 89:21,23 90:7 90:11 91:12 93:1 97:15 105:19 107:25 108:17 110:11 125:14,21 126:11 130:5 142:20,24 148:9,17 151:22,23 154:6 155:24 kind 11:22 54:20 66:4 83:12 113:9,15 119:11 136:19 164:5 know 7:7 8:1 12:13 14:16 16:1,17 18:8 20:8,17 22:4 23:14 24:18,19 27:10,17 28:14 28:14,15,16,19 29:15 30:5 35:1,14,23,24 36:22 38:18,19	40:16,16,18 41:5,12 43:7 48:14 49:14,22 50:14 51:2,4 51:20,22 53:19 54:8,18,22,23 55:9 56:2,3,5,6 56:7,10,24 57:10 59:18,25 61:23 62:10,11 63:3 65:1,2,16 65:17,24 66:2 68:2 69:20 70:2,9 71:16 72:12 73:23 75:4,18 76:2,5 76:23 77:19 79:17,19 81:8 82:11 84:4,20 84:23 85:2,4 85:11 87:7,9 87:10 88:11 91:19 92:12,19 95:10,18,22,23 95:23 96:9,10 96:11,18,20,21 96:24 97:6,8 97:10 98:11,14 100:13,13,15 101:12 102:6,8 103:1,6 104:11 104:19,20 106:3,8,8,11 107:7 109:7,17
j jandrow 5:8 january 3:20 63:19 64:1 jaundro 2:15 jennifer 2:10 5:23 jennifer.e.ada... 2:13 jerry 9:15			

109:18 112:25	known 18:1	24:25 25:5	lessens 113:1
114:6,19 117:1	l	27:22 28:2	lesser 19:11,23
118:21 119:1	laboratory	57:4,16 58:5	20:12 49:22
119:10 120:7	36:11,23	58:14 63:18,25	154:25
121:14,19,21	lack 23:24	68:18 72:2	leukemia 4:10
121:22,22,25	127:17	74:17,21,24	4:11,13 66:25
124:22 125:3	lagged 28:1	75:8 76:16,24	67:9,16 68:24
126:13 127:15	large 47:12	77:4,11 78:14	70:7 71:17
127:16 129:20	48:5 51:1	78:20 80:12	72:10,21,23
130:25 135:3	53:12 54:12,15	83:2 84:15,22	111:9,14,19
137:16 138:12	71:22 72:13	86:4,9,15	112:18 113:4,6
138:13,14	104:3 124:6	89:11,17	113:9 115:3,10
139:9,14,15,24	126:2 167:5	122:11,23	117:17,25
143:5,19,19,23	largely 97:25	123:12,17	144:14,21,23
143:23 144:3,9	larger 31:2	124:1,17	150:17 157:6,9
145:5,7,11	49:16,18 50:1	125:16,22	157:21
148:2,6 155:21	51:10 52:17	126:11,15,22	leukemias
157:8,9 158:12	54:25 160:11	127:3,13,24	114:13 127:21
158:21 160:9	law 3:15 44:24	128:3,14,18	128:2,14 131:9
162:2 163:3,9	45:5 176:17	129:3,9,11,22	131:16 144:16
164:3,4,22,23	lawyer 53:23	130:6 131:4,10	150:9 152:18
164:25 165:7,8	lead 55:3	131:16,17	152:23 156:22
165:13 166:4	139:10 169:24	141:25 145:15	156:24 158:15
167:18 168:4,5	leading 37:25	145:23 146:20	158:17
168:7,7,18,19	38:14 39:1	147:3,7,24	level 41:23
168:23 169:9	leave 83:12	148:13,18	64:10 65:15
169:10 172:4	left 99:23	149:12,17	levels 67:10
172:18 174:2	legal 54:4,7	150:4,12,17	89:17 90:3
175:9	101:6,21	151:6,13	94:16 133:3,9
knowing 27:6	102:16	152:10,15,19	133:11 137:23
30:6 127:18	lejeune 1:4	152:23 154:1	138:9 139:17
143:21	3:13,17,19,25	154:12,13,14	140:13 141:6
knowledge	4:15,18 5:14	172:5,13 176:7	157:19
41:2 103:1		177:3	

lies 18:16	litany 109:17	longer 157:20	164:9 167:13
likely 16:25	liter 97:14	look 9:3 10:13	167:14,15,20
30:22 36:12,18	literal 36:18	12:24 14:5	167:21 168:18
46:17 48:2,6	literally 18:21	16:21 23:3	169:7 170:5
98:16 102:3	19:1	25:13,15,20	175:10
139:12 165:19	literature 8:25	28:22 30:7,12	looked 13:5
limit 64:15,16	113:2,14	41:5 43:19,23	26:12 65:23
64:21,22 65:23	157:13 162:9	58:19,22 61:7	78:9 81:23
limited 10:17	165:4,21	61:13,15 68:16	95:22 109:3
69:17 70:11	litigation 1:5	72:20 73:11	144:9 146:6
79:8,22 82:11	5:14 176:7	74:16 77:23	172:24
83:9 137:19	177:3	81:10 83:13	looking 11:14
173:1	litmus 32:19	84:4,23 86:7	12:20 13:11
limiting 84:19	34:22 66:5	89:5 91:12	23:22 27:5
160:25 161:8	little 12:19 32:6	93:17 95:13	30:15 36:5
line 38:22	36:17 44:17,22	96:12,24	43:6 52:14
59:20 64:25	61:25 70:2	100:14 109:1	59:7 60:10
121:24 150:10	91:21 93:5	110:3 115:16	71:18 72:14,17
152:9 155:24	106:15 109:7	116:1 117:1	76:12 82:6,25
156:22 178:3,7	119:24 120:2	118:4 125:13	91:4 97:5
178:11,15,19	125:9 140:22	128:17 129:10	98:12 103:5
178:22	141:14 155:11	131:9 135:2	106:9,12,16
linear 92:11	164:7,7,8	136:3 138:19	109:20,23
106:5,21	live 6:13	139:7 141:6,22	131:3 133:24
137:23	llc 2:4	142:20 143:4	137:20,25
lipids 167:18	log 105:22	144:8,13,19	138:4,23,23
list 9:8 39:8	106:7	146:17 148:1,9	139:17 142:5
46:15 73:12	logic 134:8	149:8 150:9	154:7 159:1,4
78:1 100:15	logical 164:19	151:5,21 152:9	167:16 168:15
109:1 123:19	long 27:1 35:2	154:5,10,16	169:9,18,18,20
listed 9:2,5	36:6 73:14	155:4,24	looks 15:1,15
78:11	112:11 155:22	156:22 157:12	15:16 30:6
lists 135:19	161:15	157:15,16,21	136:6
		158:14 159:6	

lost 160:19	149:2 152:4	16:16,20 17:5	makes 37:1
lot 30:9 37:6	153:1 155:12	22:24 23:7	48:5 53:13
38:19,20 40:17	164:4	41:21 49:14	166:11
43:20 56:25	lowest 116:8	56:2 59:23	making 12:2,5
65:11 66:11	lukasz 4:11	87:7 91:20	12:10 26:19
68:12,15 75:22	115:3,9	95:17 121:16	32:9 62:17
76:5 104:10	lunch 117:21	129:19 130:13	69:20 78:23
127:15 138:4	122:16	magnitudes	82:3 85:9 98:5
140:23 158:23	lung 51:7	51:21 113:11	98:9 103:2
160:22 167:13	lymphatic	main 84:18	116:20 129:2
169:8 170:6	112:9 157:10	94:25	164:10 166:15
low 28:3 85:3	lymphoma 4:13	maine 176:3	173:10
89:24 91:13	66:25 100:20	maintenance	males 99:14,20
93:7 96:12	117:18,25	100:5	167:20
133:3,10	126:19 127:3	major 43:24	malignancy
137:20 138:10	127:13 143:8	160:15	118:6
138:14,15,17	143:11 149:8	make 7:21	mallon 4:8,10
138:17 140:11	149:11,17	20:25 27:12	103:10 105:9
141:9 142:5,16	150:5 156:14	28:18 29:19	111:9,14
153:20 154:11	156:16	30:12 32:14	manner 160:20
154:12 156:6	m	33:23,24 35:10	mannetje
158:3	m 4:8,10	41:5 42:19	118:11
lower 20:18	103:10 105:9	43:2 44:10	march 10:9
49:22 56:14	111:9,14	46:4 49:25	176:25
61:16 64:16,22	m.d. 4:4 66:24	51:10,20 53:15	marine 3:17,24
67:22 69:4	88:18,23	53:23 54:9,24	58:5,13 77:4
71:5 87:21	made 46:16	65:21 68:6	77:11 123:7
93:25 124:24	51:24 59:11	69:11 73:12	153:25
126:3 129:23	84:5 94:20	75:4 76:14	marines 3:16
130:15 131:24	97:12 98:14	82:20 83:4	4:14,17 58:3
133:1 134:3	magic 49:10	87:3 92:22	58:11 86:10
137:15 141:9	magnitude	97:2,16 102:9	89:9 105:17
142:17,17	12:1 13:13	148:2 160:7	122:9,21
143:7 144:3			141:25 145:13

145:21 marked 3:9 8:12,15 10:1,3 25:2,4 44:24 45:3 58:6,10 63:19,22 66:19 66:22 74:5,7 77:5,8 85:16 85:18 88:19,21 90:23 98:23 99:2 103:10 105:8 111:10 111:12 115:7 117:18,23 122:12,19 145:15,18 153:10,13 marker 22:1 35:13 martel 9:10 mask 92:12,17 maslia 9:6 massachusetts 1:17 5:4,13 materials 4:19 8:23,24 9:1 153:9,15 matter 5:13 23:17 24:22 54:22 69:24 97:18 109:19 118:21 171:22 177:8	maximum 81:16 89:16 mayo 25:21 mcgowan 2:3,4 3:4 5:24,24 174:23 175:2 175:16 mcgowanhoo... 2:6 mcl 89:17 md 3:23 4:7 74:4,9 98:23 99:4 mds 157:4,16 mean 14:2 15:2 20:10,19 21:4 27:9 32:17,22 34:24 37:21 40:5 43:20 46:8,15 50:19 54:18 56:9 60:8 63:2,3 81:13 82:6 84:1,18 87:1,2 96:15 97:25 100:8 101:12 120:5 121:11 129:6 145:7 146:12 151:16 152:14 157:10 161:22 162:8 164:5,14 165:5 167:11,12 169:3 170:20	173:21 meaningful 50:16 73:24 76:2,8 94:25 127:16 136:24 145:9 158:18 168:1 means 18:4 19:3,10 20:4,6 20:11,21 44:15 56:5 101:14 105:25 137:13 153:4 170:20 meant 53:11 measure 13:25 14:3 15:25 23:21,24,25 25:25 28:21 29:1 42:9 43:9 56:20 171:2 measured 21:16 41:17 42:16 136:21 measurement 11:16 12:9 14:10 16:2 21:10,14 23:1 46:14 measurements 165:17 measures 13:16 13:17,19 16:5 16:7 28:4 124:12	measuring 15:21 156:10 median 80:12 80:13 medical 39:10 medium 28:5,5 67:10 70:23 89:25 91:14 93:7,7 96:11 97:13 133:3 134:4 137:20 141:9 142:6,6 142:16,18 153:20 154:13 156:6 meet 88:8 173:23 meets 51:25 175:12 mention 21:8 47:22 54:11 155:19 mentioned 13:22,25 16:7 22:23 50:4 51:4 merits 164:4,15 message 168:22 met 45:22 49:12 meta 112:7 113:17 metaanalyses 111:23
--	--	--	--

metaphor 69:25	172:15	monitor 5:11	moved 6:13
method 62:12	million 24:21	monotonic 89:20 90:10,14	multiple 66:14
171:7	51:2	91:17 92:7	133:2 134:18
methodologic 22:12 27:3	mind 53:22,23	93:4 94:10,18	138:8 140:13
46:18 47:20	84:3 101:5	105:17 141:7	141:6 166:4
170:14 173:11	minimized 160:10	142:14,23	168:23
methodologi...	minute 114:23	143:11,14	multivariable 99:12
3:11 9:24 10:6	missed 164:22	144:17,24	myelodyspla... 157:5
methodologi... 160:1,8 162:20	misstated 119:16	155:5,11,14	myeloid 61:17
163:14,23	mixed 54:18	156:24 157:17	71:17 113:4,5
methodology 13:11 23:4	159:24	monthly 96:15	n
59:13 96:10	mixes 42:5	months 67:12	n 96:1
methods 30:20	mm 34:20	67:13 97:14	nailing 104:11
44:13 66:12	54:16 91:10	112:18	name 5:8 6:9
98:12 148:2	95:8 123:21	morning 6:9	6:11,15
159:5,7 162:2	166:1 168:14	morris 9:6	named 176:5
162:4,18 163:5	moakley 1:15	mortality 3:24	176:15
165:11,15	5:3	4:17,20 14:18	names 9:16
169:23 171:22	model 96:15,18	15:14,16,23	narrow 11:22
171:23 173:9	modeling 106:19	24:5,15 64:13	86:24 87:12
micrograms 97:14	models 99:12	75:7 77:2,9	113:1,15 124:4
mid 123:3	modest 49:3	89:18 90:7	126:1 128:5
middle 25:20	70:2 71:19,23	91:3 105:14	143:17 147:17
80:7 155:12	79:20 87:7,14	145:13,20	147:20 148:25
military 28:1	87:18,20,25	146:19 147:2	149:21 150:20
85:10 87:6	88:1 119:8,20	148:13 149:11	158:16
89:17 124:22	moment 71:13	150:12 153:10	narrowing 27:13
125:4,10	93:14,17	153:15 172:17	national 9:9,20
128:23,24	momentum 35:12	morton 118:11	72:4 73:8
		118:17 119:16	100:11,18,23
		119:17	

101:20 120:20 navy 3:16 4:14 4:17 58:3,12 86:10 89:10 122:9,21 123:7 142:1 145:13 145:21 153:25 necessarily 41:6 92:1 97:19 135:9 necessary 45:23 46:1 need 20:25 22:3 26:24 27:18 28:19 30:12 43:1,8 44:22 46:5 61:12 73:11 76:13 109:9 143:23 162:13 needs 21:9 30:17 42:21,23 43:1,4 45:21 175:3 negate 134:15 negative 68:11 163:10 169:1 negligible 79:19,20,23 neither 88:8 107:4 109:10 never 67:4 69:21 116:3 172:7	new 6:14 nhl 126:19 131:3 152:9,15 nho 99:13 noise 52:16 104:10 noisy 41:17 56:8,10 139:19 non 4:13 66:25 100:19 117:18 117:25 126:19 127:2,13 143:8 143:11 149:8 149:11,16 150:4 156:14 156:16 noncausal 46:11 51:18 nonsupportive 117:13 normally 141:12 north 1:2 5:17 6:14 14:22 75:8 176:9 northern 25:21 norwegian 115:20 notably 121:15 notary 1:14 5:2 176:2,23 note 37:19,22 48:25 55:20 65:17 87:4	155:20 161:13 noted 78:2 110:10 112:16 noteworthy 57:17 notice 3:10 8:14,17 notified 176:17 noting 38:12 notion 79:7 nrc 72:1 nuances 18:17 null 19:7,16 33:17 34:3 35:16 50:15 170:6 171:9,17 171:20 number 12:22 13:16 15:5 20:24 26:23 56:17,23 61:5 78:14,17,23 79:6 81:22 83:14,17 84:12 84:19 85:4 94:18 96:5 97:7 102:1 104:14 107:10 108:20 124:6 126:2 127:5 137:16 148:20 151:7 158:2,21 167:15 169:14	numbers 17:13 27:10 28:10 29:7 37:13,15 49:10 75:24 78:11 79:5,18 82:12 83:7,9 84:8 88:14,14 91:20 93:14,15 93:18,20 94:19 95:18 97:24,25 104:13 107:12 109:10 117:6 125:24 137:18 138:21 144:5 158:1,10,11 o oath 6:2,5,20 122:17 177:13 obligated 6:21 observation 136:23 observe 15:5 21:3 36:21 observed 15:3 48:2 89:21 90:11 98:15 118:16 135:12 observing 85:2 124:23 137:10 obviously 19:20 28:14 38:11 53:17 72:12 91:9
--	--	--	---

95:22 96:13 101:2,5 173:6 occasion 40:2,8 occupational 73:16 odd 119:11 134:25 odds 13:18,22 15:21 24:4,14 25:25 27:4 42:10 64:12 67:14 68:19 110:11 offer 57:12 177:13 office 1:15 5:3 official 40:18 offshore 115:20 oh 42:11 63:2 79:21 102:10 119:15 123:20 131:13 167:24 169:3 173:15 173:19 oil 115:20 okay 14:25 16:6 24:24 25:19 28:22 30:23,24 31:13 44:17,21 53:4 56:11,11 57:20 64:5 66:17 67:8 68:16 70:13 72:16	74:1 77:20,25 79:24 80:1,3,6 81:10,14,21 85:13,25 88:16 89:2 90:21 93:9 98:21 99:25 100:6 103:14 105:6,6 105:7 106:16 107:15 109:10 109:24 110:5 110:25 114:18 114:19,22 115:12,14,22 117:15,22 118:23 119:16 120:4 121:11 122:2,3,16 123:5 125:13 132:6,7,14 137:4 139:20 141:20,22 142:10 145:18 146:17,18 148:22 149:8 150:9 151:5,19 151:21 153:8 154:17 156:13 157:14 158:23 159:11,13,15 172:8 173:23 174:1,8 older 84:21 167:21	once 7:19 165:12 ones 50:2 71:21 86:25 103:19 103:19 163:18 open 8:25 46:23,25 64:12 64:14 81:11 90:25 operating 171:5 opine 173:1 opinion 40:22 60:6 62:20 163:16,19 165:2 opinions 10:16 15:19 opportunities 133:1 opportunity 7:20 139:4 141:5 opposition 140:1 166:23 order 28:17 30:11 46:4 47:17 64:10 ordered 133:9 organic 93:2 105:18 organize 165:14	organizing 165:21 original 48:1 62:2 originally 36:4 outcome 11:19 43:11 62:19,19 140:19 176:15 outcomes 11:7 11:11 62:15 113:8 167:16 outside 14:21 63:11 102:16 overall 26:10 26:18 27:24 28:16 30:3 65:5 68:7,19 71:24 82:22 86:10 95:11 116:20,23 132:18 143:6 160:3 161:2,3 163:13 164:1 168:6,21 169:15 170:7 overinterpret 68:14 overinterpreti... 19:1 overly 20:15 40:11 override 164:17
--	--	--	--

overstatement 70:8 own 97:11	115:12,13,16 118:3,4,4,9 120:9,13 123:20 132:13 136:3 137:4 139:20 142:10 144:13 146:17 154:5 156:13 159:14 166:19 166:20 178:3,7 178:11,15,19 178:22	120:23,24 134:15 137:7 140:17 161:13 167:4 paren 17:25 18:1 46:23,24 46:25,25 64:12 64:13,14 67:13 parentheses 67:11 parenthetical 17:12 parkinson's 3:23 74:4,9,22 75:1 76:17,24 78:2,20,21 80:15,17 82:4 83:2,6 84:20 part 8:21 26:14 40:8 65:22 67:12 87:15 123:3 135:14 165:22 169:18 particular 27:6 27:8,16 46:13 65:13 72:7 73:18 74:1 75:4 78:12 80:23 85:21 100:23 104:13 113:20 114:4 119:3 128:22 157:14,16 160:14	particularly 36:7 86:15 particulates 50:21 parts 165:23 party 176:16 passing 172:9 past 35:5 patten 3:14 25:1,6 pattern 46:13 95:16 109:23 136:20 139:18 139:19 140:14 143:3,6 145:3 169:18 patterns 92:13 92:24 169:7,9 pay 49:15 164:25 paying 62:10 159:10 pce 67:11 72:1 72:23 80:16 83:13 99:13 100:20 108:3 108:12 118:5 pcp 67:13 pd 74:21 80:14 80:20 peer 40:13 41:9 165:20 pelvis 125:14 125:22 126:12
p			
p 32:4,6,9,10 32:13 33:4,8 34:6,9,10,18 35:16 36:11 79:6,21 117:3 138:2 p.m. 122:6,7 174:16 175:24 p.o. 2:11 page 3:2 9:3,5 10:13,14 11:4 16:21 17:19 25:13,14,14,20 27:20 31:6,11 45:8 48:24 52:13,14 64:4 64:7 67:6 70:13 72:16,20 74:15 75:6 77:24 80:4,4,7 81:12,13 85:24 86:1,7 89:1 93:9,16 99:10 99:11 100:4 103:15,20 105:12 107:15 107:18 109:24 109:25 110:3,6 111:16 112:1	115:12,13,16 118:3,4,4,9 120:9,13 123:20 132:13 136:3 137:4 139:20 142:10 144:13 146:17 154:5 156:13 159:14 166:19 166:20 178:3,7 178:11,15,19 178:22 pages 25:16 27:20 paper 44:8 59:5 60:1,4,18 61:12 111:2 papers 34:6,15 38:14,17 39:15 41:11 44:2 63:11 65:11 papillomavirus 51:23 paragraph 16:22 17:22 25:24 31:11,12 49:24 64:6,7 68:16 70:16 72:17 74:16 75:5 80:8 86:7 87:3 89:6,8 105:16 106:24 107:18,23 112:16 118:10		

130:5 142:20 142:24 148:9 148:18 151:24 penalty 177:5,6 pending 176:7 pendleton 28:3 67:16 68:18 74:18,22 76:25 78:17,22 83:3 85:6 89:12 128:19 129:5 129:11,22 130:7 131:4,10 131:17 141:25 146:20 151:6 151:14 152:10 152:16,20,24 154:1,12,14,15 penetrated 54:6 people 9:12 13:21 24:20 38:5 41:4 51:2 72:22 85:5 102:6 125:8 128:23 129:3,4 138:14 160:19 167:22 percent 17:15 17:25 18:5,13 18:15 21:23 33:11 64:9,16 64:22 67:14,15 68:19 70:22	72:24,25 74:19 74:21 80:14,17 80:19 82:3 83:5 86:11,13 89:19,24 90:1 90:2 95:4 97:15 99:14,16 105:20 107:1 108:1,4 112:19 113:21 118:18 121:1,3 123:16 123:25 125:21 127:2 128:2 131:15,16 147:6 148:17 149:16 150:16 151:12 152:14 152:22 percentage 17:13,15 perception 113:5 perfect 36:13 period 68:23 70:6 perjury 177:5,6 person 176:14 personnel 3:16 4:14,17 28:2 58:3,12 86:10 87:6 89:10,17 122:10,21 123:8 142:1 145:13,21	153:25 154:12 154:13 156:7 persons 76:23 perspective 66:4 ph.d. 1:11 3:1 6:4 10:9 176:4 177:25 178:25 phillips 2:4 philosophically 22:5 phrase 76:22 118:24 phrased 136:17 pick 97:1 picking 161:15 161:23 162:22 163:20 167:2 168:3 piece 29:25 30:5,11 69:24 144:9 pin 18:23 place 95:20 108:16 placed 114:4 159:21 placing 161:15 plaintiff 1:6 plaintiff's 10:22 plaintiffs 2:3 5:24 6:1 7:5 172:25	plausibility 47:13 52:1 94:7 please 6:9 7:25 plus 101:3 point 11:22 17:9,12 19:9 19:14,22 20:1 20:3 22:16,19 28:12 29:6,11 29:15,18 38:2 38:4 46:3,20 47:25 50:6 55:12,21 56:18 59:9 62:3 79:5 92:23 103:16 104:1,3,9 109:21 110:20 114:19,20 119:3,8,20 121:8,8 133:24 135:10 141:19 161:22 169:4 172:11 pointed 95:21 pointing 51:14 68:11 69:16 points 172:13 poisson 123:7 policy 40:18 pollution 50:20 52:2 polycythemia 61:21
---	---	---	---

pooled 119:11	150:7 163:11	54:12 56:17,25	preparation
poor 164:22	167:7 168:15	82:6 83:4 95:9	10:21,25
171:8	168:25 171:10	105:4 138:5	173:23
poorer 171:20	positively	147:9	prepared 10:8
poorly 171:2	134:25	precisely 18:9	preprint
population	possibilities	42:17	122:24
14:13,21,22,22	17:24 19:20	precision 16:4	present 2:15
14:23 15:4,8	22:17 52:3	18:23 19:2,19	16:18,19 23:6
15:12,12 77:21	possibility 92:4	22:2,14,18	26:21 30:22
83:2,3 84:17	92:7 94:9	24:23 27:11	31:21 38:2,14
84:22,25 85:3	95:14 134:15	28:19 30:10	43:19 45:23
123:17 124:1	possible 36:16	35:14 42:8	46:12 47:10
124:22,22,23	134:18 155:21	43:17 48:15	48:17 53:3,10
125:4,10,10,12	163:25 168:24	50:6,11 52:10	53:14 60:4
125:23 126:15	potential 12:4	52:15 55:1,20	74:25 76:16
126:15 127:4	12:8 13:3 16:1	55:24 56:20	79:2 89:15
128:3 129:7,9	16:9,13,23	59:4,24 63:10	132:25 133:15
146:21 147:3,8	21:10,21 27:7	64:10,14,21	134:6,12,16
147:11 148:19	27:14 30:14,14	65:15 79:10	135:24 136:1,1
149:18 150:18	43:7 50:15	81:9 82:16	presentation
151:14,14	76:14 90:19	83:25 87:8,19	33:15 163:12
172:14,16,16	101:15 137:7	129:21 130:14	presented 17:9
populations	160:2 161:3	137:18,22	33:23 95:19
79:11 87:5	potentially	138:21 150:21	167:6 175:4
portrayal 41:7	56:8 73:24	158:22	presenting 47:4
position 57:12	pp 67:13	predicated	75:19 132:24
positive 32:20	ppm 112:17	145:7	press 57:5,9
62:18 68:9,11	116:8,9	predict 171:24	presumably
69:16 87:17	practice 24:2,8	predicted	59:7 61:9
94:24 117:14	38:8,23 43:13	106:22	84:24 96:5,25
127:14 134:17	81:4	prediction	108:9 110:16
137:1 140:12	precise 27:6	172:2	presume
143:20 144:5	28:12 47:6,19	predicts 97:19	110:19
144:12 145:4	51:3 53:12		

presumptive 102:21	95:6 121:21 136:17 158:12	provides 70:4 71:19 76:2	100:24 111:1 114:18 132:6
pretending 36:25	168:4 173:21	81:15 106:4 120:2	138:14 153:8 162:12 173:13
pretty 22:9 30:18 53:18 56:10 113:3 121:18,25	problem 23:17 43:24 46:19 55:5,6 158:2	providing 68:20 69:13 80:24 117:7 148:4	putting 12:17 55:22 79:2 173:13
prevalence 38:17	problematic 36:23 82:20	proxy 154:22	puzzle 30:5,6,7 30:11 69:24
prevents 21:2	problems 56:25	public 1:14 5:2 57:3 176:2,23	q
previously 6:23 30:25 82:21 86:25	proceeding 6:17	publication 39:23 40:15 41:3	qualify 88:15 qualitative 28:18
principle 30:2 92:12	process 18:18	publish 38:24 39:21 44:8	qualitatively 56:23 164:11
principles 12:15 15:24 53:21	produce 8:23 140:12	published 41:1	quality 21:6 44:12 98:12 159:1,8,16,20 160:13 162:2,4 162:18 163:4 164:4 165:22 165:24 166:4 171:19
prior 10:21,25 25:10 67:3 72:20 74:12 75:5 94:17 100:4 107:17 115:16 118:4 161:9	produced 96:15	pulled 113:20	quantification 27:11 106:1,5 151:16
probability 31:22	produces 165:3	pure 39:6	quantify 16:4 16:16,23 22:8 37:24 81:8 101:25
probable 101:24 102:3 102:15	product 47:13 48:6	purely 155:14	quantifying 82:15 92:10
probably 32:18 61:10 63:14 82:5 94:22	production 8:20	purpose 24:16 60:1 62:7,24 90:13 101:21 175:8	
	project 9:10	purposes 43:22 84:18 101:6 102:25	
	promote 40:2	put 13:5 23:11 30:23 39:12 57:14 63:9 66:17 68:14,14 71:13 79:24 85:13 88:16 93:2 98:21	
	proven 54:20		
	provide 17:24 23:14 26:5 42:7 76:7 116:22 119:24 160:2		
	provided 29:16 64:9 70:20 80:23 81:1 93:20 104:14		

quantitative 84:1	quoted 113:23	randomness 42:22	25:25 27:4
	r		28:6,11 29:6
quantitatively 88:11 104:12 150:19	race 14:14 123:15,22 124:2 125:20 127:1 128:1 146:21 147:6 148:16 149:15 150:15 152:16	range 16:24 17:13,24 18:5 19:20 21:24 22:17 39:10 67:15 82:19 94:6 117:3 121:18 135:8 138:6,25 139:1 158:3,13	42:10 56:13 58:23,25 59:10 59:19 61:17 64:12,12,13,15 64:21 65:3,14 65:24 67:14 68:19 69:7 73:18 74:19 75:17 78:4,5
quantities 74:25 76:17	radican 100:4	rare 113:13	80:13,16,19
question 7:25 8:1,3 26:11 41:8 48:16 62:2 71:14 82:8,10 95:15 98:20 104:2 113:2,16,18 124:25 136:9 136:13,15 140:7,20 143:15 145:6 154:17 165:7 165:12,21 175:4,5,6,14,14	raised 48:4 raises 136:18 random 3:12 9:25 10:7,16 11:17 12:4 15:19 16:3,9 16:17,23 19:19 21:11,25 22:4 22:11,22 23:19 24:23 27:1,7 27:14,17 30:14 31:8 36:13,13 36:19,20 37:1 37:5 41:18 42:25 43:17 46:14 92:4,8 92:12,16,21,22 101:15 137:7 139:13 160:11 169:6 173:3	rarity 79:9,10 rat 37:3 rate 13:20,20 15:8,14,14,15 15:16 20:7,17 24:4,5,14,15 123:12 125:16 126:14,22 127:23 147:2 148:13 149:12 150:12	81:6,22 82:2 83:18 84:2 88:4 89:24,25 90:2 91:13 99:14 110:11 112:19 116:2 116:10 139:15 146:12 151:9 151:21,23 152:2,17,19 155:21
questions 7:10 8:9 19:4 136:18 167:23 174:21 175:17	randomly 36:9 36:25	rates 89:23 123:6 146:19 rather 7:10 34:10 66:5 75:20 97:19 158:3 ratio 13:17,23 14:3,6,11,17,18 15:1,3,21,22,22 15:23 23:8 24:4,4,13,14	ration 28:6 rations 93:22 ratios 13:18 14:5 55:15,18 56:4 57:17 62:25 65:9 80:23 81:15 91:23 92:5 94:1 105:19 132:9 142:5 146:7,8 154:21

rats 36:10,14 36:15 read 7:20 48:25 58:17 59:5 60:1,17 61:12 61:20 86:1 135:13,15 175:22 177:6,8 reader 54:10 ready 44:17 real 48:9,12 50:18 53:8,8 134:18 reality 139:9 really 11:22 15:25 24:17 36:24 40:24 50:15 52:5 65:25 72:11,15 77:19 79:15,21 79:22 87:22 92:16 98:10 104:3 106:15 113:15,17 135:6 143:18 143:22,25 144:2 164:11 170:5 171:4 172:10 173:8 reason 8:5 23:22 101:8 113:24 140:20 141:13 161:15 170:4,14,18,25	178:5,9,13,17 178:21 reasonable 8:4 47:16 54:10 59:16,22,24 60:19 64:24 65:7 82:10 87:19 95:13,15 106:14 147:20 167:24 168:9 168:10 reasonably 56:24 71:22 170:7 reasoning 35:25 133:20 reasons 46:11 101:16 133:22 135:8 164:19 164:20 rebuttal 3:11 9:23 10:5,14 recall 7:2 9:4,7 9:16 65:13 73:13 82:23,24 88:2,6,7 100:7 100:9,10,18,22 108:15,20 109:2,6,8 112:7,11,13,23 112:25 113:7 114:3,6,7 120:16 146:2	recent 109:8 recently 6:13 172:5 recess 57:25 114:25 122:6 174:16 recognition 36:7,24 recognize 8:17 40:1 105:24 117:3 recognized 35:18 recommended 38:9 reconcile 117:6 reconciling 171:25 172:1 record 5:7,19 6:10 57:23 58:7 114:22,24 115:4,5 122:4 122:5,14 123:24 139:24 174:15,18 175:20,21 176:12 records 108:11 173:15 reduce 139:2 reduced 137:9 176:10 refer 81:11	reference 39:14 73:11 86:2 93:10 100:15 110:6 112:2 118:10 141:25 154:2 175:8 referenced 9:18 78:8 91:4 118:15 120:16 referencing 24:13 90:13 referent 14:19 14:21 15:12 96:21,25 129:8 referred 17:1 30:25 referring 14:4 22:19 32:8 72:3 75:5 80:5 91:8 99:21,22 100:3 103:19 107:10 112:5 119:17 reflect 14:7 18:10 20:2 41:15 50:9 124:15 126:10 128:12 147:5 147:22 148:16 150:2 151:12 reflected 13:14 16:10 17:11 50:7 95:3 156:1 173:17
---	--	---	--

reflecting 67:15	142:24 143:2 143:12 144:12	62:17 165:20	67:7 72:6 74:4
reflection 23:23 40:8	144:17,24 155:5,11,14	reliable 82:2	74:8,13 76:1
reflects 19:23 41:1 48:9 101:19 131:15 142:4	156:1,9,17,25 157:17,24 167:17	reluctance 40:10	81:23 83:5 85:15,20,21 91:4 94:2 95:20,23 96:13 96:24 98:23 99:4,7 100:15 103:10 105:9 107:16 109:9 111:9,13 115:3 115:9 117:17 117:24 165:16 173:14
regard 63:10 160:11	relationships 11:6 43:8 83:9 143:15	relying 116:18	reported 27:4 83:14,17 89:9 176:10
regarding 15:19 21:21 29:20,21 51:20 70:11 139:21 165:19	relative 13:18 13:25 19:5,6,9 20:3,10,11 42:14 46:22 47:4 48:14 49:6 51:24 52:9,10,21 53:13 54:12,13 54:17 72:23 86:11,13 88:3 88:9 94:8 107:8 121:1 125:12 128:18 129:10,22 130:6 131:3,9 134:2 137:17 139:15 151:5,9 152:12 155:10 164:3	reminder 109:18	reporter 5:21 7:8,15 135:15 176:24
regardless 16:4 135:2 175:11	relative 13:18 13:25 19:5,6,9 20:3,10,11 42:14 46:22 47:4 48:14 49:6 51:24 52:9,10,21 53:13 54:12,13 54:17 72:23 86:11,13 88:3 88:9 94:8 107:8 121:1 125:12 128:18 129:10,22 130:6 131:3,9 134:2 137:17 139:15 151:5,9 152:12 155:10 164:3	remotely 176:4	reporting 24:3 118:17
regression 123:7	relative 13:18 13:25 19:5,6,9 20:3,10,11 42:14 46:22 47:4 48:14 49:6 51:24 52:9,10,21 53:13 54:12,13 54:17 72:23 86:11,13 88:3 88:9 94:8 107:8 121:1 125:12 128:18 129:10,22 130:6 131:3,9 134:2 137:17 139:15 151:5,9 152:12 155:10 164:3	renal 125:14,21 126:12 130:5 142:20,24 148:9,18 151:22,24	reports 10:22 11:1
regulating 102:10	relative 13:18 13:25 19:5,6,9 20:3,10,11 42:14 46:22 47:4 48:14 49:6 51:24 52:9,10,21 53:13 54:12,13 54:17 72:23 86:11,13 88:3 88:9 94:8 107:8 121:1 125:12 128:18 129:10,22 130:6 131:3,9 134:2 137:17 139:15 151:5,9 152:12 155:10 164:3	rendering 165:2	represent 6:15 152:22 153:14
regulatory 50:22 102:20	relative 13:18 13:25 19:5,6,9 20:3,10,11 42:14 46:22 47:4 48:14 49:6 51:24 52:9,10,21 53:13 54:12,13 54:17 72:23 86:11,13 88:3 88:9 94:8 107:8 121:1 125:12 128:18 129:10,22 130:6 131:3,9 134:2 137:17 139:15 151:5,9 152:12 155:10 164:3	repetition 109:22	representation 83:4
reinforce 74:24 76:15 79:7	relationship 48:9 68:6 71:12,14 72:9 72:18 90:16 100:20 142:14	replicate 51:5	represented 18:6 32:4
relate 55:21	relationship 48:9 68:6 71:12,14 72:9 72:18 90:16 100:20 142:14	report 3:11,13 3:21,22 4:2,6,8 4:9,11,12 8:25 9:23 10:5,11 10:15,21,25 16:21 17:6,19 19:6 21:8 22:3 24:12 25:1,6 25:11,16 26:8 29:12 31:6,16 33:3 39:16 41:14 56:1 66:19,24 67:4	representing 21:23
related 14:5 43:10 51:23 102:11 117:8	release 57:10		request 8:20
relationship 48:9 68:6 71:12,14 72:9 72:18 90:16 100:20 142:14	relevant 12:18 30:19 55:13		

requested 135:15 require 44:1 120:7 required 35:10 51:19 175:6 requires 106:14 127:18 135:1 research 12:15 12:23 13:9 28:17 50:17 55:3 72:13 168:8 169:21 172:15 researchers 38:21 40:12 resist 40:12 respect 28:10 34:2 52:24 90:10 93:1 119:3 161:10 174:2 respected 39:8 respects 85:12 respiratory 52:3 response 4:5 42:1 43:23 83:9 90:16,17 90:22 91:2,6 91:18 92:7,13 92:15,19,25 94:11,18,22	95:14 96:7,19 96:22,23 106:16,19,20 112:16 132:10 132:16,23 133:25 134:10 134:19,21,23 135:12,20 136:4,7,12,14 137:3,5,10 139:6,12,22 140:6 142:5,14 142:19,23 143:15 144:17 144:24 153:20 155:5 156:1,17 156:25 157:17 157:24 158:24 169:10 responses 105:17 135:17 responsive 8:23 rest 13:6 26:7 restricted 108:2 result 22:14 27:8 37:18,20 41:17 46:17 67:21 68:6 69:3,10 70:10 71:4,12 73:4 73:19 75:12,14 92:8 116:23 123:15 124:15	125:20 126:6,9 127:1,8,11 128:1,8,12 130:2,19 131:20 132:2 137:8 139:2 147:5,22 149:3 149:15,23 150:2,15,23 152:7 153:5 169:2 170:22 171:16 resulting 79:10 results 13:11 16:9 18:5 19:10 21:24 30:20 31:20 32:14,15 35:22 37:12 38:2,13 40:21 41:7 43:16 44:6,8 44:14,15 51:3 57:8 58:20,23 59:14 60:2,5 61:11 62:8,16 62:22 63:9 66:13 68:8 86:8,20 97:15 97:19,23 107:24 109:20 123:7 132:24 137:15 140:21 143:18 144:10 144:11 146:15	146:16 159:4 159:22 161:24 161:25 162:4,5 163:2,6,7,9 167:6,23 168:15 169:5 169:25 170:9 170:15 172:1 resumed 58:1 115:1 122:7 174:17 retained 7:4 retrospective 3:25 77:5,12 return 103:4 reveals 140:13 review 10:22 11:1 17:5 112:8,13 113:17 114:5 reviewed 8:20 25:11 40:17 57:3 67:4 74:13 99:7 146:2 165:20 172:4,7 reviewer 40:13 40:19 41:9 reviewers 39:24 40:3,9 reviews 111:22 right 7:5 11:13 11:19 12:6 14:1 15:17,18
---	--	--	---

16:12 17:8,13	125:24 127:4	52:9,10,21	155:23 156:6
17:14 19:7,13	129:6 130:16	53:13 54:12,13	risks 88:9
19:25 20:1,5	131:8,11,18,21	54:17 64:12	118:16 121:1
20:13 21:2,3	131:23 133:17	68:23 70:7,17	134:2
21:13,25 22:1	134:24 135:18	71:20 72:21,23	road 6:14
22:14,15,16,20	140:2,8 142:8	72:25 73:24	robin 2:4 5:25
22:21 23:1,5	143:2 145:7	74:21,23 75:21	rock 2:5
25:12 27:8	148:10,20	79:8 80:14,17	role 28:19
30:16,17 31:3	149:6,18	80:20 82:13	42:25
32:2,16 34:16	150:18 151:15	86:11,13,16	rose 121:1
41:18,19 44:10	151:16,19,21	87:19 88:3	roughly 164:9
47:23,24 48:10	151:23 152:3,8	90:17 92:11	row 125:13
49:7 50:8,17	152:13,24	94:8,16 95:16	126:20 127:21
50:18 51:1	153:2,3,7	97:15,19,20	130:5
52:22,25 56:15	157:1,25	105:19 106:11	rudder 108:7
56:19 57:23	158:17 159:3	106:22 107:8	ruder 107:19
61:21 67:23	159:22 161:17	107:24 110:11	rules 40:11
69:8 71:6,7	163:7,7,8,22	112:18,18	ruling 88:15
72:2 73:10	165:24 169:19	116:6,25	run 55:7
75:16,17 76:10	170:10 174:14	121:16 124:24	s
81:6 82:25	175:16,19	125:4,12 126:6	safe 141:19
84:1 85:9	rigid 37:7	127:20 128:16	sample 31:2,2
86:23,25 87:14	40:11	128:18 129:11	sandwich 6:14
87:15,21 92:18	rigidity 37:15	130:2,6 131:3	save 177:9
94:1,20 95:5	rises 155:9	131:9,15 132:9	savitz 1:11 3:1
95:20 101:8	risk 13:18 14:1	133:11,17	5:18 6:4,11,15
104:15,23	14:4 15:21	136:25 137:17	8:12 10:3,8
105:2,3 106:19	19:5,6,9 20:3,7	139:10,15,15	25:4 45:2
107:5 108:8,13	20:10,11 23:8	142:5 147:15	58:10 63:22
109:14 110:17	24:3,13 42:14	148:21 149:24	66:22 74:7
115:24 119:6	42:16 46:5,7	150:24 151:5,9	77:8 88:21
119:19,22	46:22 47:4	151:18,21,23	112:2,4 115:7
120:4,5,21	48:14 49:6,21	152:2,12,17,18	122:16 174:20
121:20 124:3	50:21 51:24	152:22 155:9	

174:23 176:4	science 175:3,7	139:25 159:16	111:17,18,20
177:25 178:25	sciences 9:20	166:22 167:1	111:24 112:1
saw 57:5 82:21	36:4 73:8	see 11:17 23:22	112:15,21
83:5 86:25	100:12,18,23	25:8,9,20,24	114:11 115:9
114:13 132:9	101:21 120:20	26:3 27:21,24	115:11,15
saying 18:15	scientific 54:3	28:8,9 29:1,4,6	116:1,11 118:1
19:14 20:16	scientist 161:18	31:8 33:8	118:5,7,13,15
27:1,13 30:8	161:20	43:24 45:6	118:20 120:9
33:18 42:20	scientists	58:15,19,22	120:11,13,24
56:3,9,24	102:19	59:2 61:19	121:6 123:1,9
68:10 69:12	screening 60:2	64:2,6,8,18	123:13 124:6
79:13 95:4	scrutinize	67:1,9,18	125:13,18
106:10 121:20	23:14	68:16,25 69:1	126:19,20,24
126:13 140:17	scrutiny 51:19	70:14,16,24	127:21,23
151:17,17	seal 176:20	72:18 73:1	128:20 129:13
158:10 161:5	search 165:4	74:10,17 75:2	130:5,8 131:5
162:1,7,14	searches 165:8	75:10 76:19	132:15 133:4
says 6:5 27:24	165:8	77:13,15,21	133:10 134:8
28:9 29:13	second 70:16	78:1,4,11,14	136:19 137:11
31:8 56:8 59:2	142:10 167:4	80:7,9,21	140:15 141:24
64:8 67:11	secondary	81:15,23 83:13	142:2,10,21
68:17 72:18,20	21:13 78:2	83:16,19 84:9	143:8 144:4,14
76:15 79:14	section 17:20	85:22 86:5,18	144:20 145:20
89:15 105:16	45:8,14,18	88:24 89:3,6	145:25 146:1,4
106:24 107:23	58:20,22 70:14	90:4 91:5,12	146:24 147:2
116:2 120:25	73:15 75:6	92:20,25 93:10	148:11 149:9
136:7 137:7	86:8 89:3,5	93:12,14,18,19	150:9 151:7,25
166:14 167:4	105:13 107:16	96:1 99:5,10	152:9,18
sc 2:5	107:19 110:3	99:18,20	153:17 154:3,6
scandinavia	111:17,18,19	100:15 105:10	155:25 156:2,8
70:19	115:15 118:5	105:13,16	156:14,22
scheduling	120:10 132:15	107:2,16,21,23	157:2 158:11
114:20	132:18,22	108:5 109:9,25	159:16,16
	136:3,7 137:5	110:5,8,13	167:3 168:5

170:1,18 174:12 seeing 83:7 139:2,18 seems 60:17,18 64:24 100:1 140:22 seen 26:7 57:6 57:8 63:8,11 63:14 100:8 168:17 selection 11:15 12:9 21:10 22:25 160:18 162:23 selective 41:3 160:19 161:14 selectively 162:19 self 165:16 sense 18:23 20:20 24:9,16 51:25 55:19,23 62:10 79:12 82:16 84:6 91:19 101:23 142:19 168:12 168:13 170:13 sentence 10:14 11:5 16:22 27:6,16 31:7 31:14 46:20 47:3 49:24 99:11 106:24	117:2 132:22 136:6 137:6 140:5 167:3 separate 19:17 48:19 66:6 73:16 76:11 92:5 97:22 119:15,17 120:6 separately 60:11 167:21 167:22 separating 55:22 97:24 seriously 103:3 served 40:13 41:9 89:10 set 59:6 79:4 143:18 144:10 163:13 175:10 settings 102:16 several 61:21 172:12 sex 14:15 123:15,22 124:2 125:20 127:1 128:1 146:21 147:5 148:16 149:15 150:15 152:16 shaking 7:11 shape 106:20 sheer 88:14	sheet 177:1,11 178:1 shell 115:20 shorthand 18:22 57:1 show 8:12 19:11 88:21 90:25 91:17 92:15 99:2 107:24 122:19 123:24 125:20 127:1 128:1 134:19 143:11 144:23 150:15 157:23 158:2 showed 86:11 86:12 94:17 172:21 showing 23:8 44:11 45:2 66:22 85:18 95:16 111:12 115:7 117:23 145:18 153:13 155:15 158:8 shown 10:3 17:4 21:4 45:2 63:22 74:7 77:8 155:6 shows 123:15 143:6 146:19 149:15 sick 36:15	side 170:6 sign 7:22 175:23 signal 52:15 79:15 signature 176:22 178:24 signed 177:19 significance 3:12 10:1,8 15:20 31:17 32:1,3,12,18,24 33:2 34:18,22 35:21 37:11 39:15,23 40:14 41:4,10,15 60:7,23 61:6 62:5,21 67:21 69:3 71:4,9 73:4 75:14 86:21 91:25 103:17 107:13 114:4 126:5 130:2,19 151:3 169:4 173:3 significant 33:7 33:9,18 34:4 34:13,13 35:7 37:18,20 38:13 41:6 42:11,15 59:14 61:2,24 62:8,10,22 66:6 67:22 69:4 71:5,10
--	--	--	--

73:5 75:15 86:22 90:8 91:24 93:23 94:21 103:18 107:5 109:11 110:21 112:24 113:22,25 116:14 119:6 121:9 124:9,14 126:7 127:9 128:9 130:3,20 131:2 132:3 149:4,7,24 150:24 152:6 153:6 162:15 169:2 signify 127:6 similar 87:4 94:12,14 95:17 113:12 126:14 169:25 similarly 160:16 simple 20:21 42:2 56:21 124:20 simply 33:7,18 34:12 113:7 133:20 single 12:14,17 12:20 13:12 97:6,7 137:16 139:14 169:14 169:14	sir 14:11 125:25 sit 100:10 114:3 situation 169:12 situations 141:17 171:18 size 24:21 28:20 31:9 41:20 54:25 55:5 59:4 79:11 84:9,15 147:20 160:11 sizeable 75:20 sizes 31:2 slash 118:17 132:25 133:1 142:16 slightly 18:15 94:24 118:16 sll 118:17,22 slope 106:7 slowly 35:1 small 42:16 50:1,13,16,19 50:24 52:4,16 52:20 54:11 71:19 84:8 129:21 130:13 131:23 148:21 158:1,10 smaller 22:6 31:2 51:13,20	56:17 smashing 60:9 smoking 166:9 smr 107:25 108:3 sole 98:5 solely 22:11,21 64:10 solution 55:8 solvent 70:18 solvents 3:17 58:4,13 somewhat 12:16 20:8 124:24 138:17 138:18 142:17 156:6 sorry 6:13 31:11,13 34:17 81:13 119:16 121:10 154:7 sort 12:12 18:7 18:24 20:20,21 21:18 22:10 23:20 24:9 26:9,12 28:18 34:22 35:24 36:2 38:1,10 51:6 52:1 53:18 56:22 57:1,12,12 60:13 62:13 66:2,9 69:14 79:12 87:22,24	88:12 101:4 102:8,15 113:16 133:23 135:11 138:3 141:16,17,18 158:6 161:23 168:9,11,21 169:4 172:18 173:9 sorts 175:13 sound 160:8 sounds 82:5 source 20:17 84:17 sources 160:10 speak 36:2 38:18 54:7 59:6 172:11 speaking 29:13 specific 3:19 39:11 63:18,25 64:8 114:10 specifically 27:12 61:13 specificity 113:18 spectrum 49:21 106:13 133:2 speculate 72:11 118:23 speculation 106:3 speculative 65:18
--	--	--	---

spirit 166:8	52:13,20 53:12	21:24 22:21	42:11,15 43:10
stable 142:15	132:22 134:1	23:21,25 28:21	61:2,24 67:21
staff 174:8	139:25 159:19	31:17,25 32:3	69:3 71:5,9
stand 98:4	176:3	32:12,18,24	73:5 75:15
standard 14:11	statement 31:5	33:2,13 34:10	86:21 90:7
14:25 15:13,14	47:15 63:2	34:11,18,21	91:23 93:22
15:22,22 22:10	65:5 67:10	35:7,11,21	103:17 107:4
24:4,5,14,14	70:4,17 76:25	37:11 39:15,22	109:11 110:21
43:12 81:4	82:3 86:2	40:14 41:4,10	113:22,25
101:11 103:1	90:10 91:7	41:14,19 43:4	116:14 119:5
123:6,11	97:12,16	43:13,15,20,22	121:9 124:8,14
125:16 126:22	166:19	44:1,6,16	126:6 127:8
127:23 146:19	states 1:1,8,15	45:18,22 46:1	128:8 130:3,19
147:2 148:13	1:16 5:2,3,14	46:8,9,20	131:1 132:3
149:11 150:12	5:16,22,23	48:13,16,21	148:4 149:4,7
151:3 175:9,11	6:16 10:14	50:5,7,10	149:24 150:24
175:12	14:22 25:24	53:10 60:7,22	152:6 153:6
standardized	45:21 70:21	61:6 62:5,21	162:15 169:1
14:17,17 15:9	74:17 80:11	67:20 69:2,15	statisticians
64:13	86:8 89:8,9	70:12 71:4,8	35:18
standards	110:10 112:15	73:4 75:14	stay 12:15
102:22	176:8	79:2 86:21	stenographic...
stands 58:25	statical 31:19	91:25 92:9	176:10
157:4	station 2:11	103:17 104:21	step 32:11 53:2
start 165:5	stationed 28:2	106:17 107:13	53:5
starting 45:8	86:9 89:11	126:5 130:1,18	stepwise
133:24 141:19	141:24	132:24 137:22	133:11,15,16
171:23	statistic 78:19	148:4 158:23	134:8,10,22
starts 80:8 89:6	statistical 3:12	167:1 169:3	steven 3:21,23
99:12 170:5	9:25 10:7,16	173:3	4:3,4 66:18,24
state 6:9 11:4,9	11:10,14 13:16	statistically	74:4,8 85:15
16:22 31:19	14:7 15:20,25	18:4 33:7,18	85:20 88:18,22
41:2,14 47:3	16:15,24 18:24	34:4 37:18,20	stock 68:15
49:3,20,24	18:24 19:10	38:13 41:6	

stood 101:10	39:22 43:6	15:11 16:10	120:16,19,24
story 30:1,3	51:2 52:16,17	20:16 21:6,20	122:12,23,25
strategy 43:3	55:4 57:4,16	23:4,12 24:20	127:18 133:2
stratified 113:8	63:1 66:10,16	24:21 26:16,17	143:18 145:15
strength 47:23	73:16,16 89:14	26:23 27:2,14	145:23 146:2,4
87:13 161:7,11	96:8 98:3,13	27:18 28:20	146:5 147:21
strengthen	100:11 107:17	31:9 32:20	153:10,16
134:5	109:21 110:2,4	33:1 36:10,20	159:1,3,4,4,8,9
strike 151:22	115:17 117:10	41:20 42:2	160:7,11 161:3
158:14	118:11,16	43:16 50:25	161:7 162:5
strikingly 87:4	119:12 120:10	55:5,8,20 56:3	165:19 168:2,2
stringent	155:2 159:20	56:25 58:5,14	168:22,24
101:23 102:22	159:25 161:1,5	58:17 62:8	study's 22:2
strong 69:13	161:10,14,16	66:15 68:22	42:8 44:5,7
126:10 129:17	161:18,19,21	69:22 70:6,19	55:24 159:17
130:11 131:7	161:25 162:8	70:20 71:1,22	studying 15:4
131:22 135:4	162:10,14,20	71:25 72:7,19	subdivide
147:23 148:22	162:21,24	73:7,9,13	113:9
150:3 151:19	163:10,11,13	74:18 75:6,7	subdivided
162:21 163:15	163:15,17,23	76:12 77:2,5,9	133:9
163:17	163:24 164:5	77:12,17,24	subgrade 123:8
stronger 48:7	164:12,15,17	79:14 80:5,8	subgroup
163:23	165:6,9,15,22	80:11 86:2	83:12 142:1
strongest 160:1	166:10 167:13	88:3 89:9 91:3	153:25
strongly 38:4	168:18 169:19	92:19 97:6	subgroupings
87:17 140:19	170:2,2,3,9,21	98:10 100:4,7	165:18
stuck 79:12	171:2,6,8,9,15	104:8 105:14	subgroups
studies 3:11	171:16,19,20	107:19 108:7	158:20
9:25 10:7 11:5	172:5,13,21	108:10 110:7	subheading
12:18,21,25	study 3:17,24	110:15 114:14	25:21 27:21
13:4,9 22:5,6	3:25 4:16,18	115:19,23,24	subject 82:11
26:9,25 29:8	4:20 12:14,17	116:21 117:1	156:10
29:22 30:15,20	12:21 13:2,7	117:12,13	subjects 44:17
36:23 37:3,5	13:12,13 14:20	119:18 120:14	

submitted 44:2 subpoena 3:10 8:15,17 subscribe 176:19 subsection 111:22 115:19 subsequent 114:15 subset 82:9,14 164:15 substantial 49:7 75:19 76:6 103:25 107:7,8 122:1 substantially 107:8 116:6 159:20 subtract 13:19 subtype 157:6 157:7,9 subtypes 144:20,23 157:2,22,23 158:1 succeeded 53:25 sudden 109:4 suffers 73:25 sufficient 26:5 45:23 46:10 68:23 70:7 74:25 76:17 100:25,25	101:12,13,24 102:10 108:17 108:18,22 suggest 86:14 144:7 suggested 146:21 suggesting 80:14,16,19 93:5 suggestive 108:20,24 167:7 suggests 65:5 71:22 75:20 87:18 97:20 129:21 156:5 superior 164:11 supplemental 4:19 89:22 91:5 153:9,15 support 45:9 45:15 69:15 71:19 76:8,9 92:10 97:8,10 97:11 116:19 117:7 119:24 120:2 124:15 127:11 132:19 134:11,14,23 136:18 143:20 144:5 145:2,4 148:5 150:7	160:2 163:15 163:18 174:8 supported 133:15 supporting 170:7 supportive 76:3 79:16 87:17 90:18,19 114:10 116:22 134:17 135:24 136:1,2 140:21 155:17 156:3 156:12,19 159:25 161:14 162:20 171:21 supports 11:18 11:21 90:18 136:8 140:6 141:11 suppose 33:20 sure 18:8 57:9 57:10,22 65:25 73:12 75:4 100:15 118:22 119:2 137:14 141:2 151:1 168:20 173:20 survey 37:14 surveying 51:1 susan 9:10 susceptibility 160:17	susceptible 23:12 suspect 158:3 sworn 5:20 6:5 176:5 symbol 105:23 106:6 syndrome 157:5 systematic 111:22 112:7 112:13
			t
			table 78:12 81:15,20 82:7 83:1,13 84:10 92:6 93:9 96:3 97:2,13,17 123:3,6 141:22 141:23 144:11 146:17,19 169:14 tables 28:23 29:1 64:8 95:21 take 25:10,13 25:15 28:22 32:7 38:21 44:18 57:21 58:24 67:3 71:23 77:23 103:3 125:1 131:9 141:22

148:9 152:9 155:20 168:8 174:11 takeaway 168:1 taken 5:1 18:21 26:24 37:8 48:18 128:15 150:7 177:7 takes 29:23 talibov 70:17 talk 33:2 120:1 talked 71:21 73:23 140:5 158:23 talking 12:14 19:19 26:20 32:17 33:3 34:8 59:20 140:10 173:2 tc 26:1 67:11 81:22 tce 67:12 70:14 70:18 71:15 72:1,9 80:13 100:20 team 72:13 technical 18:7 173:6 technically 18:14 91:19,22 93:4 94:12,17 117:4 150:1 151:1 155:7,13	tell 6:21 22:25 27:15 28:11 29:25 39:19 41:21,25 42:4 59:3 60:24 63:4 65:10 76:1 79:14 117:10 tend 66:1 82:9 83:11 127:16 160:1 165:10 tended 82:13 83:10 tendency 82:12 116:24 term 19:4 22:18 55:14 76:21 112:12 terminology 32:2 101:12,17 terms 14:6 16:1 20:15 42:19 47:5 60:1 73:15 87:23 88:13 119:10 139:13 territory 43:21 tertile 116:7,8 test 31:25 32:19 33:14 34:22 35:11 66:6 144:2 tested 43:1	testify 176:5 testimony 7:20 8:6 25:10 67:3 74:12 135:15 161:10 176:13 testing 3:12 10:1,8,16 32:24 34:22 41:20 42:22,23 50:7 71:9 151:4 tests 31:19 34:10,11 35:7 text 81:1 99:11 textbooks 38:9 thank 57:22 131:13 174:20 174:21,22 175:16,17 thanks 20:22 148:8 theoretically 36:16 thereof 23:24 35:6 thing 12:12 14:9 24:7 101:8 147:14 things 38:20 41:5 48:5,7 54:3,25 55:7 102:3,7 134:16 138:24 156:11 159:11 166:13	think 14:7 16:14 18:12,17 18:19 22:2,3,8 22:23 24:6,10 29:2 30:18,25 32:21 35:8,12 35:17 36:4,6 37:8,13,14 38:7,8,22 39:5 39:7 40:5,10 40:12,24 41:8 47:16 50:4 51:16,16,18 53:1,11,21 54:24 55:11,19 55:25 57:9 58:18 59:16,22 60:8,12,21,24 61:4 62:15 65:5,8,16,19 66:1,8 68:7 69:19 70:10,10 72:8 76:7,9 79:3,7 81:3,7 82:7 84:5,18 94:2 95:13,15 97:4 98:19 99:23 101:8,11 101:20,22 104:5 112:12 112:25 114:6 118:12 119:12 124:19 135:23 138:12 141:13
--	--	--	---

146:11 155:14 158:11 161:9 161:22 162:16 166:3,18 169:7 169:16 170:24 174:13 thinking 37:24 65:6 third 29:3 128:17 136:6 thirds 143:9 thought 40:25 99:23 three 39:12,13 139:17 158:12 162:10,10 thresholds 135:6 throw 56:9 171:11 thyroid 167:18 tighter 95:12 tightly 37:3 time 5:10 8:8 9:7 35:3 37:16 38:18 58:8 63:15 68:22 70:6 73:14 101:7,9,18 108:21 109:3 113:2 122:14 144:9 172:13 173:13 174:15 175:20	times 54:5,20 164:11 timewise 57:7 timothy 4:8,10 103:10 105:9 111:9,14 tiny 50:20 69:15 111:4 tips 160:3 164:1 title 45:14 titled 58:20 today 5:18,21 7:4,9 8:5,18 34:16 37:12 100:10 114:3 173:2 today's 5:10 together 12:18 42:5 60:10 95:5 162:12 173:14 told 79:17 took 72:5 tools 92:9 top 39:8,12 60:24 63:4 103:20 109:8 109:25 110:6 173:20 topic 13:5 160:21 174:23 topics 10:15,18	total 85:2 89:21 90:12 93:1 105:18 totality 76:13 97:5 toward 51:14 68:11 111:6 112:15 116:1 towards 35:13 53:5 135:10 track 77:21 tractable 55:6 tradeoff 138:20 tradition 17:23 traditional 17:17 67:20 69:2 71:3 73:3 75:13 81:7 86:20 91:24 103:16 126:4 130:1,18 132:3 149:3 152:5 153:5 traditions 71:8 transcript 7:16 7:20 175:23 177:7 transcription 176:12 transparent 42:19 trend 89:21 90:11,14 91:18 92:8,11,15,17	93:1,4 94:11 94:18,24 106:6 138:3 139:2 trends 38:18 92:14 triangulation 13:1 51:4 166:8 tried 53:25 87:22 troubling 54:2 true 12:2 16:25 18:1,14,16 23:8 31:4 44:3 44:9 47:15 67:24 88:11 92:1,17,18 94:3,3,8 102:5 129:16 134:12 150:1 151:1 168:5,23 176:12 177:9 truly 139:6 truth 6:21 17:25 176:6,6 176:6 truthful 8:6 try 7:14 8:1 16:15 55:19 56:7,21 63:9 97:22 113:9 154:24 trying 12:16 18:22 22:8
---	---	--	--

26:17 37:24 42:18,19 43:17 47:9 49:13 51:17 54:7,8 54:23 55:23 61:11 62:9 66:9 124:19 135:23 141:2 154:11,17 158:19 159:2 173:8 tuning 16:13 turn 10:13 11:4 17:19 27:20 64:4 67:6 70:13 72:16 74:15 75:5 77:24 79:24 85:24 86:1 89:1 93:9 99:10 100:3 105:12 107:15 107:17 109:24 111:16,16 112:1 115:12 115:13 118:3 120:13 123:3 132:8,13 142:10 156:13 159:14 166:20 turns 21:18 tvoc 80:18 83:17	tvocs 105:19 twists 21:18 two 10:15,17 34:19 39:16 41:24 85:10 87:5 99:17 116:13 118:15 119:12 128:23 133:9 139:3 143:9 type 39:14 66:5 78:23 102:19 106:17 154:18 157:8 types 16:6 typewritten 176:11 typically 17:15 102:4,7 156:8 typo 118:25	under 6:20,20 67:9,20 69:2 71:3 73:3 75:13 86:20 88:15 91:24 94:1 103:16 111:19,22 122:17 126:4 130:1,18,25 132:3,18 139:25 149:3 152:5 153:4 171:2 177:5,6 177:13 underlying 92:18 underneath 144:21 underpinnings 18:25 understand 6:17,20 7:12 7:13,17,23,25 43:15,18 53:24 122:17 171:13 172:12 173:6 understanding 14:12 35:20 55:17 62:23 67:20 69:2 71:3 73:3 86:20 90:14 119:19 126:5 128:25 129:1	130:1,18 149:4 152:6 153:5 172:19,20 177:11 understood 8:3 148:8 uneven 140:14 unexplained 170:23 unexposed 13:20 20:18 95:18 96:25 125:8 unfortunately 32:23 35:8 uninformative 117:12 union 108:11 unit 106:22 united 1:1,8,15 1:16 5:2,3,14 5:16,22,23 6:16 14:22 89:9 176:8 universally 113:3 unknown 139:9 unpersuasive 52:22,24 unsuitable 36:8 untrue 141:17 upper 56:13 64:15,21 94:3 124:9 127:7
	u		
	u.s. 2:10 89:16 uh 169:22 ultimately 47:9 unable 8:5 28:11 uncertainty 16:15,16 18:10 19:22 22:15,19 64:11,15 75:22 167:1 168:6 uncommon 155:1		

128:7 150:22 urinary 123:11 129:10 142:11 142:13 146:24 147:3 usdoj.gov 2:13 2:13 use 19:5 20:19 22:18 33:25 34:21 43:22,24 65:20 66:5,14 69:25 97:2 101:11 102:13 used 9:1 13:17 14:2,3 15:18 16:5 17:18 31:20 32:24 37:11,13 40:2 41:10 43:21 45:9,14 50:10 56:21 57:16 59:3 62:21 63:1,5,7,14,15 65:8,13,16 88:3 96:18 102:4,16,22 106:21 112:12 132:19 140:1 142:9 146:6 154:22 166:23 useful 24:7 33:22 35:13 55:19 56:20 135:1	uses 76:21 101:22 using 19:4 35:21 36:5 41:14 51:5 62:5 68:5 69:10 99:12 101:18 134:2 usmc 4:15,18 122:11,23 145:15,22 usual 53:20 usually 17:11 17:23 50:25 66:8 95:20 102:13 106:6 141:19	values 32:7 33:4 34:9,10 34:14,18 94:5 97:1 143:7 variability 76:5 variant 35:6 variants 34:8 40:6 variation 169:5 170:4 variety 43:22 46:11 51:8 various 13:14 102:24 167:12 vary 102:24 159:20,21 vera 61:21 verbally 7:10 verdict 11:23 23:16 verify 91:11 95:25 99:25 154:16 veritext 5:9 version 122:24 versus 5:14 12:17,21 15:3 18:7 19:24 46:18 65:3 76:24 78:21 113:8 116:2 128:18 138:10 138:11 140:11 144:2 152:10	152:19,23 165:16 166:6 veterans 102:11,21 video 1:11 videographer 2:15 5:7,8 6:3 57:23 58:7 114:24 115:5 122:5,13 174:14,18 175:19 view 30:17,18 46:3 62:3,3 66:5 79:23 91:17 98:6 viewed 54:3 visually 143:5 voices 38:11 volatile 93:2 105:18 volume 24:17 137:21 vs 1:7
	v		w
	valid 125:1 validate 114:14 validity 21:20 value 16:25 18:1,14,16 32:4,7,9,10,13 33:8,17 34:3,6 36:11 65:1 79:6,21 104:9 104:23 121:20 125:24 127:5 130:23 138:2 138:12,23 161:3		w 4:12 117:17 117:24 wait 8:9 waiting 66:10 66:10 want 14:10 19:4 33:21 42:24 44:18

57:21 60:12 65:20 69:21,21 69:25 90:25 107:18 114:20 118:9,23 132:8 158:11,20 168:11 wanted 20:22 159:11 wary 59:17 62:11 washington 2:12 water 1:4 3:17 3:19,24 4:15 4:18 5:14 44:22 58:4,13 63:17,24 68:21 70:5 75:8 77:4 77:11 86:4,15 89:12,13 96:14 120:10 122:11 122:23 125:2 145:14,22 176:7 177:3 way 1:16 5:4,13 11:9 13:9 15:18 16:14,15 17:8 18:11 22:2,3,8,10 27:1,5 30:8 32:2,25 33:5 35:13 37:4,9 40:21 41:5	42:13 47:11 51:16 53:18 54:3 55:19 56:22,23 57:14 61:3 63:6 65:7 65:20 68:7 72:8 98:2 100:1 101:25 104:21 106:9 124:20 128:10 133:9 138:7 143:9 147:13 168:17 170:17 175:14 ways 13:14,22 15:2,20 18:22 33:22 51:8 55:4 57:6 84:4 98:19 129:8 134:18 138:24 143:4 154:24 167:5 we've 50:8 54:5 71:21 120:5 121:14 132:2 158:23 173:2 weaker 163:24 164:17 weakly 68:8 69:17 155:19 weigh 68:8 70:1 135:21 weighed 39:19	weighing 71:24 87:16 weighs 28:16 weight 26:18 55:1 68:13 93:2 117:9,13 160:3 162:12 162:17 163:1 164:1,16,18 weighted 162:25 weighting 26:22 29:22 47:17 87:16 162:24 163:4,4 163:9 went 57:9 109:5 whereof 176:19 whiteface 6:14 wide 39:10 68:1,3 69:7 73:21 76:7 83:21 103:23 109:13 110:23 114:1 116:16 119:9,22 wider 22:13,17 31:1 105:3 width 158:4 wish 38:3 witness 5:20 7:1,4 57:22 122:3 174:22	176:13,19 women 167:25 word 39:4 48:12 worded 97:18 wording 18:12 87:2 103:3 words 16:13 35:1 61:1 68:10 94:6 98:3 119:12 155:20 158:8 170:1 work 9:19 56:11 63:12 72:1,4 100:12 100:23 101:21 102:11,20 103:8 159:8 160:23 174:3,9 worked 9:8 108:12 workers 3:16 4:15,17 58:3 58:12 100:5 108:11 115:21 122:10,22 145:14,21 world 125:6 worried 20:15 166:9,12 170:3 170:16 worse 135:9 141:15,19
--	---	---	---

161:2 164:8 166:6 worth 53:21 62:10 159:9 worthy 104:7 writing 49:9,19 written 53:17 53:23 65:11 100:1 102:5 166:2 wrong 37:4 81:4 wrote 72:6	young 84:24 younger 167:21
	z
	zero 95:9 169:13 171:16 zeroing 170:21
x	
x 165:3	
y	
y 165:3 yeah 37:23 40:5 81:13 96:5 97:23 99:23 102:6 103:21 104:2 109:16 123:22 136:11 141:2 146:11 147:18 year 15:10 28:1 108:12 123:22 years 9:9 35:4 65:11 84:20 86:16 101:3 113:15 114:15 116:8,9	

Federal Rules of Civil Procedure

Rule 30

(e) Review By the Witness; Changes.

(1) Review; Statement of Changes. On request by the deponent or a party before the deposition is completed, the deponent must be allowed 30 days after being notified by the officer that the transcript or recording is available in which:

(A) to review the transcript or recording; and

(B) if there are changes in form or substance, to sign a statement listing the changes and the reasons for making them.

(2) Changes Indicated in the Officer's Certificate. The officer must note in the certificate prescribed by Rule 30(f)(1) whether a review was requested and, if so, must attach any changes the deponent makes during the 30-day period.

DISCLAIMER: THE FOREGOING FEDERAL PROCEDURE RULES ARE PROVIDED FOR INFORMATIONAL PURPOSES ONLY.

THE ABOVE RULES ARE CURRENT AS OF APRIL 1, 2019. PLEASE REFER TO THE APPLICABLE FEDERAL RULES OF CIVIL PROCEDURE FOR UP-TO-DATE INFORMATION.

VERITEXT LEGAL SOLUTIONS

COMPANY CERTIFICATE AND DISCLOSURE STATEMENT

Veritext Legal Solutions represents that the foregoing transcript is a true, correct and complete transcript of the colloquies, questions and answers as submitted by the court reporter. Veritext Legal Solutions further represents that the attached exhibits, if any, are true, correct and complete documents as submitted by the court reporter and/or attorneys in relation to this deposition and that the documents were processed in accordance with our litigation support and production standards.

Veritext Legal Solutions is committed to maintaining the confidentiality of client and witness information, in accordance with the regulations promulgated under the Health Insurance Portability and Accountability Act (HIPAA), as amended with respect to protected health information and the Gramm-Leach-Bliley Act, as amended, with respect to Personally Identifiable Information (PII). Physical transcripts and exhibits are managed under strict facility and personnel access controls. Electronic files of documents are stored in encrypted form and are transmitted in an encrypted

fashion to authenticated parties who are permitted to access the material. Our data is hosted in a Tier 4 SSAE 16 certified facility.

Veritext Legal Solutions complies with all federal and State regulations with respect to the provision of court reporting services, and maintains its neutrality and independence regardless of relationship or the financial outcome of any litigation. Veritext requires adherence to the foregoing professional and ethical standards from all of its subcontractors in their independent contractor agreements.

Inquiries about Veritext Legal Solutions' confidentiality and security policies and practices should be directed to Veritext's Client Services Associates indicated on the cover of this document or at www.veritext.com.